

## Archived Content

Information identified as archived on the Web is for reference, research or recordkeeping purposes. It has not been altered or updated after the date of archiving. Web pages that are archived on the Web are not subject to the Government of Canada Web Standards. As per the [Communications Policy of the Government of Canada](#), you can request alternate formats by [contacting us](#).

## Contenu archivé

L'information archivée sur le Web est disponible à des fins de consultation, de recherche ou de tenue de dossiers seulement. Elle n'a été ni modifiée ni mise à jour depuis sa date d'archivage. Les pages archivées sur le Web ne sont pas assujetties aux normes Web du gouvernement du Canada. Conformément à la [Politique de communication du gouvernement du Canada](#), vous pouvez obtenir cette information dans un format de rechange en [communiquant avec nous](#).

IN THE ARBITRATION UNDER THE ARBITRATION RULES OF THE UNITED  
NATIONS COMMISSION ON INTERNATIONAL TRADE LAW  
AND  
THE NORTH AMERICAN FREE TRADE AGREEMENT

----- -x  
:
In the Matter of an Arbitration :
Between: :
:
CHEMTURA CORPORATION :
(formerly Crompton Corporation), :
:
Claimant/Investor, :
:
and :
:
THE GOVERNMENT OF CANADA, :
:
Respondent/Party. :
:
----- -x Volume 4

HEARING ON THE MERITS

Saturday, September 5, 2009

Government Conference Centre  
2 Rideau Street  
Centennial Conference Room  
Ottawa, Ontario

The hearing in the above-entitled matter came on,  
pursuant to notice, at 9:00 a.m. before:

- PROF. GABRIELLE KAUFMANN-KOHLER, Presiding Arbitrator
- THE HON. CHARLES N. BROWER, Arbitrator
- PROF. JAMES R. CRAWFORD, Arbitrator

Secretary to the Tribunal:

DR. JORGE E. VINUALES

Court Reporter:

MR. DAVID A. KASDAN,  
Registered Diplomate Reporter (RDR)  
Certified Realtime Reporter (CRR)  
Worldwide Reporting, LLP  
529 14th Street, S.E.  
Washington, D.C. 20003  
+1 202 544 1903  
worldwide.reporting@verizon.net

APPEARANCES:

On behalf of the Claimant/Investor:

MR. GREGORY O. SOMERS  
MR. BENJAMIN P. BEDARD  
MS. ALISON FITZGERALD  
MS. RENÉE THÉRIAULT  
Ogilvy Renault, LLP  
45 O'Connor Street, Suite 1600  
Ottawa, ON K1P 1A4  
(613) 780-8661

## APPEARANCES: (Continued)

On behalf of the Respondent:

MR. CHRISTOPHE DOUAIRE de BONDY  
MR. STEPHEN KURELEK  
MS. YASMIN SHAKER  
MS. CHRISTINA BEHARRY  
MS. CAROLYN ELLIOTT-MAGWOOD  
MS. SYLVIE TABET  
MR. MARK LUZ  
MS. CELINE M. LEVESQUE  
Department of Foreign Affairs  
and International Trade, Canada  
Trade Law Bureau (JLT)  
Lester B. Pearson Building  
125 Sussex Drive  
Ottawa, Ontario K1A 0G2  
Canada  
(613) 944-0027

## C O N T E N T S

WITNESSES:	PAGE
WENDY SEXSMITH	
Direct examination by Mr. Douaire de Bondy	776
Cross-examination by Mr. Somers	779
Redirect examination by Mr. Douaire de Bondy	888
Questions from the Tribunal	910
SUZANNE CHALIFOUR	
Direct examination by Ms. Elliott-Magwood	936
Cross-examination by Mr. Bedard	937
Redirect examination by Ms. Elliott-Magwood	1006
Questions from the Tribunal	1010

1 P R O C E E D I N G S

2 PRESIDENT KAUFMANN-KOHLER: Are we ready to start?

3 MR. DOUAIRE de BONDY: Good morning, Madam Chair.

4 Yes, we are ready to start.

5 Before we begin, I just wanted to make one comment.

6 Ms. Sexsmith, who has agreed to appear this morning, is on sick  
7 leave right now, and we see that the Claimant has requested  
8 four hours for her cross-examination. So, I just wanted to ask  
9 for the Tribunal's indulgence that she may request a pause from  
10 time to time, and if that's agreeable to the other side...

11 MR. SOMERS: Of course.

12 PRESIDENT KAUFMANN-KOHLER: Certainly there should be  
13 no problem.

14 Can we close the door and ask Ms. Sexsmith to come in,  
15 or the reverse.

16 WENDY SEXSMITH, RESPONDENT'S WITNESS, CALLED

17 PRESIDENT KAUFMANN-KOHLER: Good morning.

18 We have been told that you're on sick leave and maybe  
19 you need once in a while a break. You just--

20 THE WITNESS: Um-hmm.

21 PRESIDENT KAUFMANN-KOHLER: You just let us know. I  
22 mean, if we think about it, we will ask you, but you know  
23 better when is a good time, so you let us know.

24 THE WITNESS: Um-hmm.

25 PRESIDENT KAUFMANN-KOHLER: For the record, can you

09:03 1 confirm that you're Wendy Sexsmith.

2 THE WITNESS: I am Wendy Sexsmith.

3 PRESIDENT KAUFMANN-KOHLER: Your current position as a  
4 Public-Servant-in-Residence at Carleton University here in  
5 Ottawa.

6 THE WITNESS: That's correct.

7 PRESIDENT KAUFMANN-KOHLER: And previously you had a  
8 career with Health Canada, including at the--in different  
9 positions at the PMRA during the times we're interested in?

10 THE WITNESS: That's correct.

11 PRESIDENT KAUFMANN-KOHLER: Thank you.

12 You have given two witness affidavits?

13 THE WITNESS: Um-hmm, yes.

14 PRESIDENT KAUFMANN-KOHLER: And you know that you're  
15 heard as a witness, and that as a witness you are under a duty  
16 to tell us the truth.

17 THE WITNESS: Um-hmm.

18 PRESIDENT KAUFMANN-KOHLER: Can you please confirm  
19 this by reading into the record the Witness Declaration that is  
20 in front of you, please.

21 THE WITNESS: Yes.

22 I'm aware that in my examination I must tell the  
23 truth. I am also aware that any false testimony may produce  
24 severe legal consequences for me.

25 PRESIDENT KAUFMANN-KOHLER: Thank you.

09:04 1           You know how we will proceed. You will first be asked  
2 a few introductory questions by Canada's counsel, and then we  
3 will turn to Chemtura's counsel for cross-examination.

4           THE WITNESS: Sure.

5           PRESIDENT KAUFMANN-KOHLER: Thank you.

6           Mr. Douaire de Bondy.

7           MR. DOUAIRE de BONDY: Thank you, Madam Chair.

8                                 DIRECT EXAMINATION

9           BY MR. DOUAIRE de BONDY:

10          Q. Good morning, Ms. Sexsmith.

11                 Ms. Sexsmith, I'm just going to have one short area of  
12 questions for you, but before then, I understand you have two  
13 corrections to make, one to your first and one to your second  
14 Affidavit.

15          A. That's correct.

16          Q. I understand the first correction is at Paragraph 30  
17 of your first Affidavit. Do you have that before you?

18                 And I understand it's with regard to the third  
19 sentence of Paragraph 30. Are you there?

20          A. Yes, that's correct.

21          Q. And what was the correction you needed to make?

22          A. The correction was that in this Affidavit it reads  
23 "April 1998," and it really should read September, I believe  
24 4th, 1998.

25          Q. Okay.

09:05 1 A. And it was because the--it was numbered, and there was  
2 a misunderstanding as to what the month was.

3 Q. So, that's Exhibit WS-12 at the bottom of the page?  
4 The e-mail, it says 9th April 1998. It should actually read  
5 the 4th of September.

6 A. That's correct.

7 Q. Thank you.

8 And just for the record, it has been included in the  
9 hearing bundle under the 4th of September 1998, at Tab 38.

10 All right. And then the second correction was in your  
11 second Affidavit. I understand the correction is at  
12 Paragraph 85 of your second Affidavit.

13 A. Yeah, that's correct.

14 Q. Go ahead.

15 A. Yeah, and it reads--

16 Q. Which part of the paragraph are you at?

17 A. Let's see. It's the first bullet under 85, I believe.

18 Q. Okay.

19 A. So, it should be--

20 Q. I think it's the second sentence you were  
21 referring to?

22 A. That's right. It should say fungicide-only products  
23 instead of insecticide-only products.

24 Q. All right. Thank you.

25 Now, we'll just turn to this one brief area of

09:07 1 questions.

2 Ms. Sexsmith, can you confirm that you were at a  
3 meeting of November 24th, 1998, with canola industry  
4 stakeholders?

5 A. Yes, I was.

6 Q. And at that meeting, did you address the issue of  
7 potential lindane replacement products; that is, products  
8 containing insecticides other than lindane?

9 A. I did address the issue, but only in a very general  
10 way. I would have addressed it in such a way that indicated  
11 that PMRA was willing to work with the Canola Council and  
12 Registrants to facilitate access to alternatives to Lindane  
13 Products, but nothing more detailed than that would have been  
14 said at that time.

15 Q. Did you make any specific commitments as to the timing  
16 or number of replacement products that would be considered by  
17 PMRA?

18 A. Not at that time.

19 Q. Thank you.

20 When were those--when were any commitments of that  
21 nature made?

22 A. They were made later on after there was sort of  
23 general agreement with the VWA, and that would have been in  
24 the--I believe it's the February-March time frame, but also it  
25 would have been talked about at the June meeting in 1999 what



09:10 1 confidential Affidavit, that's the first one, and turning to  
2 Paragraph 20.

3 A. Yes.

4 Q. In that paragraph, you state, and I'll just read from  
5 it so that the transcript actually will reflect, you know, what  
6 we're talking about rather than having us read it silently.

7 A. Sure.

8 Q. "Given the announced EPA action, the Canadian canola  
9 industry was facing an immediate problem: How to stave off the  
10 EPA's announced border closure."

11 The border closure you're referring to, that's closure  
12 in connection with what? Treated seed? Lindane-treated canola  
13 seed?

14 A. Yes. At around about that time, the U.S. had  
15 indicated that the movement of lindane-treated seed was not  
16 legal, and they were looking at informing FDA to begin  
17 inspections of those types of products moving across the border  
18 from Canada to the U.S., so that's what I meant.

19 Q. Did you say the FDA?

20 A. Yes.

21 Q. But as far as lindane-treated canola seed being  
22 imported into the United States, wouldn't that fall under the  
23 purview of the EPA?

24 A. That's right, but also there was interest in  
25 lindane--I mean, canola products other than seed and that they

09:11 1 would--that would fall under FDA's purview, so it was--the  
2 threat was while it was focused on the seed, was also--there  
3 was also concern around the products of canola, like the oil  
4 and the meal, so that's why I mentioned FDA.

5 Q. Sure. And we'll get to that in a moment.

6 A. Um-hmm.

7 Q. So, you continue at paragraph, 22nd sentence, "They  
8 decided to respond by ceasing the use of lindane on their  
9 crops, seeking to appease the EPA's health and environmental  
10 concerns. Due to mounting international concerns about  
11 lindane, it is my understanding that the canola industry, in  
12 any event, wanted to disassociate canola from lindane, as its  
13 use might tarnish the healthy image of their product. Although  
14 the Canadian canola industry wanted to stop using lindane  
15 immediately, it needed to develop an orderly withdrawal plan.

16 So, as I take your statement here, it was the desire  
17 for withdrawal or ceasing use of lindane on canola seed was  
18 driven by the industry's desire to disassociate itself from  
19 lindane; is that right?

20 A. Well, that's in part correct. It was also, as I  
21 understood it, their concern about the impact on their industry  
22 if, in fact, it was used and the product of the use would not  
23 be allowed to cross the border. So, it was the issue of the  
24 negative impact of a so called healthy product as well as the  
25 economic impact of the movement of treated seed from Canada to

09:14 1 the U.S. that would not be allowed.

2 Q. Okay. And your Affidavit has tabs on it. I'm asking  
3 you to turn to the tab that's called WS-3, and that's  
4 Exhibit WS-3 as well. That's a fax from the Canola Council of  
5 Canada to Gustafson, Incorporated.

6 A. Just a minute. I may need help from counsel here.

7 Q. It's one of the first tabs. It's the third tab, in  
8 fact, in your Affidavit, so--I think you're getting it there.

9 A. Yes, we have now found it.

10 Q. All right. This is correspondence from the Canola  
11 Council of Canada to Gustafson, Incorporated, a U.S.  
12 corporation, and it's commenting on a letter that Gustafson,  
13 Incorporated sent to the EPA. Do you recall the issue now?

14 A. Right.

15 Q. I'm looking at the fourth paragraph on that fax, in  
16 fact, we'll start there, but we'll expand letter, and I'm  
17 reading from there. It says, "Your letter appears to have  
18 opened a potential trade irritant issue, a potential irritant  
19 that we have recognized for some time. And this is Mr. Dale  
20 Adolphe writing of the Canola Council.

21 A. Um-hmm.

22 Q. "We have been working to avoid a possible trade  
23 irritant by working with Canadian Government, the U.S.  
24 Government, and industry on both sides of the border on  
25 harmonization of pesticide regulations under NAFTA. See our

09:15 1 attached position paper."

2 "The recognition by both countries of Canada's  
3 stringent pesticide residue regulations has today prevented any  
4 trade irritant related to pesticides used in Canada but not  
5 registered for use in the USA."

6 And elsewhere, at the last paragraph of the fax on the  
7 next page, "If your letter prompts a trade irritant, it will  
8 impact a trading relationship that in 1997 represented over  
9 250,000 tonnes of seed," and then he goes on with about the  
10 rest of the economic impacts.

11 But the--never mind not just the focus, but the entire  
12 tenor of the letter and all of it ever talks about is the trade  
13 aspect of things; isn't that right? Take your time and look  
14 through it, if you would like.

15 A. Yes, I would say you're correct, certainly, in this  
16 exhibit, but there are many other exhibits, of course, that  
17 talk about the Canola Council's concern about the impact on the  
18 image of their crop. But, yes, this really flags the economic  
19 issue, as I had indicated earlier, that the Canola Council was  
20 concerned about.

21 Q. And we will get to other documents as well, but thank  
22 you.

23 And jumping ahead in your Affidavit to Paragraph 24,  
24 that states in the first sentence, "As these discussions were  
25 ongoing within the industry, the CCC approached the PMRA."

09:17 1 I'll give you a chance to orient yourself. I don't want to  
2 take things out of context.

3 I wondered if you could tell me, though, when the CCC  
4 approached the PMRA in the way that you're referring to here.

5 A. Um-hmm. I recollect that that would have been in the  
6 spring and summer of 1998.

7 Q. Okay. And I see from Paragraph 23 that that's at the  
8 same time the discussions between Canadian Canola Associations  
9 and lindane Registrants were going on?

10 A. Um-hmm.

11 Yes, not um-hmm.

12 Q. I will ask you to turn to Paragraph 28?

13 A. Okay.

14 Q. Where you state, "Moreover, recognizing that only a  
15 universally accepted plan would lead to a workable solution,  
16 the PMRA emphasized that it would facilitate the voluntary  
17 withdrawal only if all four Canadian lindane Registrants  
18 participated in the plan and were treated on an equal basis."

19 Now I'm going to refer to one of those documents in  
20 the Joint Hearing Bundle that I referred to later. It's Volume  
21 2 and Tab 51 of the Joint Hearing Bundle.

22 This is a document that was received by Chemtura from  
23 Canada in connection with this arbitration, and it appears to  
24 be a PMRA document. The authorship is not attributed.

25 Have you seen this document before?

09:19 1 A. I haven't quite finished reading it, so if you'll give  
2 me a minute.

3 Q. Sure, sure.

4 (Witness reviews document.)

5 A. Yes, I have seen it before.

6 Q. It appears to be at odds with your statement, isn't  
7 it, in Paragraph 28 of your first Affidavit because at the very  
8 bottom it says--it's commenting on a Gustafson piece entitled  
9 "Updated the Use of Lindane Insecticide in Canola Seed  
10 Protection," dated October 28, '98. See attached. And at the  
11 very bottom it says, "Point number 11 is incorrect. PMRA has  
12 not made unanimous agreement among all Registrants, a condition  
13 to agreeing to the voluntary removal of lindane."

14 A. Um-hmm. And I did address that in my Affidavit, one  
15 of them, and unfortunately I can't take you to that, but  
16 essentially I don't know who made these notes. And as you  
17 said, they were unattributed.

18 And I think in my Affidavit what I indicated was that,  
19 you know, I have no knowledge of who wrote this, why it was  
20 written like that and so, yes, it does conflict with the item  
21 that we're talking about, but it's not something that I can  
22 acknowledge as far as who wrote it, why it was written like  
23 that, and so, I mean, that's all I can say.

24 Q. Sure. And so, you stand by your statement at  
25 Paragraph 28 of your Affidavit?

09:21 1 A. Absolutely.

2 And I think the other issue is the point that PMRA has  
3 not made unanimous agreement among all Registrants. I mean,  
4 that wouldn't be a function, our function. I mean, obviously,  
5 the Agreement needed to be there in order for the voluntarily  
6 agreement to work, but that really wasn't up to us to do. That  
7 was up to the Canola Council.

8 Q. Well, if it wasn't up to you to do, why would you say  
9 the PMRA emphasized that it would facilitate the voluntary  
10 withdrawal only if all four Canadian Registrants were treated--

11 A. Well, we would say that because the Agreement would  
12 not work, and we couldn't in good faith broker the Agreement  
13 with EPA and get them on board if, in fact, we were essentially  
14 lying to them because there would be no agreement.

15 So, I mean, if there were only three Registrants on  
16 board, there would be no phase-out.

17 Q. There would be no total phase-out?

18 A. That's right.

19 Q. That's right.

20 A. Yeah, yeah. And so, you know, we, in good faith,  
21 couldn't broker that agreement, but essentially it was up to  
22 the Canola Council to get the Registrants on board, and that's  
23 what I'm trying to say.

24 Q. The need for PMRA to have all four Registrants  
25 withdraw was tied to your ability to tell the EPA that lindane

09:23 1 would no longer be used on canola seed in Canada; is that  
2 right?

3 A. Yes.

4 Q. But my--I guess my question is: It wasn't the  
5 obtaining of the withdrawal of each Registrant, but that it be  
6 done on identical conditions for each. Was that within the  
7 purview of the PMRA? Or was that at the insistence of the  
8 PMRA, that the conditions on each would be identical?

9 A. Well, you know, under normal principles of regulatory  
10 fairness, it would have to be the case. I mean, normally, we  
11 try to treat Registrants in the same fashion. And under  
12 something like that, I don't see how an agreement could work  
13 if, in fact, one Registrant was getting one thing and another  
14 Registrant was getting another.

15 Q. Well, taxation authorities, for example, are perfectly  
16 happy to take more money away from people who have more than  
17 people who have less, and more referring to equality of  
18 treatment among equal participants would be appropriate in what  
19 you have just stated.

20 A. Well, certainly the intent under the Voluntary  
21 Agreement, for our role at least, was to treat all of the  
22 Registrants the same.

23 Q. I'm going ahead to Paragraph 30 here in your first  
24 Affidavit, and in the second sentence--no, I'm sorry, the third  
25 sentence, where you state, "As I noted in an internal e-mail,"

09:25 1 of what we now know is September 4th, 98, "in principle, the  
2 EPA was willing to facilitate a phase-out, allowing  
3 lindane-treated canola seed to continue to be imported from the  
4 Canada to the U.S."--now, this is seed, treated seed--"during a  
5 reasonable transition period, rather than immediately closing  
6 the U.S. border."

7 I'm going to want to ask you now to turn to--I'm  
8 sorry.

9 A. No problem.

10 Q. Keep a mental sort of finger on that remark, I'll move  
11 on, and then I'll get to the point in a minute.

12 A. Okay.

13 Q. Instead, I'm going to continue on into Paragraph 31,  
14 where you say, "The PMRA's main involvement at this point was  
15 otherwise to pursue already ongoing discussions with EPA on the  
16 broader issue of coordination of pesticide registrations  
17 between the two countries. While the CCC sought to reach a  
18 deal with Registrants that would resolve the issue with lindane  
19 on canola, the PMRA and the EPA sought to address the more  
20 systemic issue of registration asymmetries between the U.S. and  
21 Canada."

22 Could you turn now to a tab in your statement, WS-12--

23 A. Right.

24 Q. But don't lose your place in the main body of the  
25 Affidavit.

09:27 1 A. No, I have it.

2 Q. What we're describing--what you're attesting to here  
3 is your--the PMRA's main involvement, it wasn't with  
4 negotiating a Voluntary Withdrawal Agreement. It was in the  
5 larger context of this--

6 A. Well, you know, I maintain PMRA's involvement was  
7 essentially within the Agreement a number of the technical bits  
8 that were agreed to that we ended up doing, and outside the  
9 Agreement were other activities.

10 Q. Oh, in addition to?

11 A. Yeah.

12 Q. Oh, I'm sorry. All right.

13 At WS-12, which is the tab I asked you to turn to, in  
14 the last line where you say, "I am now going to try to sell  
15 this to the EPA with go-ahead from Tony as a way to stop the  
16 fuss."

17 A couple of--just asking you for clarification.

18 What is "this"? To try to sell "this"?

19 A. Well, this would have followed on from discussions  
20 that the Canola Council had already had with Registrants and  
21 with the growers with the concept of the development of the  
22 Agreement in mind. I would have received a call from the  
23 Canola Council saying, you know, "This is what we're thinking.  
24 Can you talk to EPA to see if, in fact, this is something that  
25 they would consider as viable regarding stopping the border

09:28 1 closure for a couple of years if we did this."

2           And so, that's what was meant by "this." So,  
3 essentially, the Canola Council would have informed me of what  
4 they were thinking and asked me to contact EPA as regulatory  
5 authority to regulatory authority to have a discussion about  
6 this so that this would have been the Voluntary Agreement,  
7 okay, and the fuss would have been the border closure.

8           Q. All right. Now I'm going to ask your counsel to put  
9 in front of you again the Joint Hearing Bundle Volume 2,  
10 Tab 68. The third page under that tab is a draft news release,  
11 entitled, "Canadian Canola Growers Lead Industry to Develop New  
12 Seed Treatments."

13           Do you have it in front of you?

14           A. Yes, I do, thanks.

15           Q. In addition to trying to sell the VWA to the EPA, you  
16 were also assisting. Tony at the top is written, "Tony,  
17 comments. Wendy 10/12/98." I assume that's your handwriting  
18 to Tony Zatylny?

19           A. That's correct.

20           Q. For your comments on his draft news release.

21           A. That's correct.

22           Q. So, you were--in addition to selling to the EPA the  
23 idea of a Voluntary Withdrawal Agreement, you were also  
24 assisting the Canola Council in promoting, if I can say that.  
25 I'm asking to you confirm this or you can clarify it for me if

09:30 1 you like, but promoting the discontinuation of lindane use and  
2 the--well, in this news release, the adoption of new  
3 alternative products.

4 A. Um-hmm. Well, I wouldn't categorize it like that at  
5 all. I mean, this is a very normal procedure if Governments  
6 are working with stakeholders and stakeholders are putting in a  
7 document that's going to be for public release, something that  
8 refers to a Government activity. And what I was doing was  
9 merely providing accurate information that they could include  
10 or could not include as they saw fit.

11 So, it was neither promotion or--it was certainly not  
12 promotion. It was really providing accurate information to  
13 include in an external document. And this is something that  
14 Governments do all the time.

15 Q. Now I'm turning to Paragraph 33 of your Affidavit, and  
16 in that paragraph you discuss harmonizing U.S. and Canadian  
17 pesticide registrations.

18 ARBITRATOR CRAWFORD: I would like to go back to that  
19 document. Do we have in the record the release that was  
20 actually released following this exchange of comments?

21 MR. SOMERS: I'm not aware of it.

22 THE WITNESS: Yes, yes, it is in the documents. I'm  
23 not sure--I can't say where it is. Perhaps the legal team can  
24 find it, but, yes, it actually was released.

25 MR. DOUAIRE de BONDY: If I can be of assistance, the

09:32 1 Press Release is in the record at JB-10, and another copy of it  
2 is at TZ-14. The Press Release wasn't ultimately issued until  
3 the 15th of February 1999, although there are--

4 PRESIDENT KAUFMANN-KOHLER: In the Joint Hearing  
5 Bundle?

6 MR. DOUAIRE de BONDY: In the Joint Hearing Bundle--I  
7 will look.

8 ARBITRATOR CRAWFORD: 2-81.

9 MR. DOUAIRE de BONDY: If I could also point out,  
10 there are comments on the original iteration of the Press  
11 Release in the bundle, in the document 26th of November 1998,  
12 which I believe is R-363.

13 MR. SOMERS: Just for benefit of the transcript,  
14 that's Volume 2, Tab 81.

15 BY MR. SOMERS:

16 Q. Ms. Sexsmith, harmonizing U.S. and Canadian pesticide  
17 registrations, would that mean--

18 A. So, we're on 33, are we?

19 Q. We still are.

20 A. Okay, just to check. Okay.

21 Q. That would mean having the same pesticides registered  
22 for the same uses in both Canada and the U.S.; is that right?  
23 Is that what harmonizing means?

24 A. Well, one of the goals of the North American  
25 initiative, which was essentially the policy document that the

09:34 1 NAFTA Technical Working Group on pesticides developed that  
2 really sets out the overarching goals of harmonization talks  
3 about all of the pieces around harmonization, and it really  
4 doesn't just mean the same product and same use in each  
5 country. It means lots of other things, but it can mean that  
6 as well, so instead of going into a very long answer, I just  
7 wanted to make sure that people understood that it isn't just  
8 about registrations. It's about policies and legal frameworks  
9 and data requirements and all sorts of other things.

10 Q. Well, all right. I'm looking just at the lines just  
11 above Paragraph 33, and it's a quote from the U.S. Canola  
12 Association Special News Alert. And at the last two sentences  
13 of the quote, it says, "USCA is making progress towards  
14 achieving equality with Canada on pesticide standards and  
15 Regulations governing registration. At the last TWG, the EPA  
16 and PMRA agreed to pursue harmonization for Muster, to be  
17 followed by other products."

18 Now, as the U.S. Canola Association understands it,  
19 what is harmonization there?

20 A. Well, I think it reflects exactly what I said. It's  
21 more than just products. It's standards, it's regulations, and  
22 the end result obviously is or can be the same product in two  
23 countries at the same time with the same MRLs and the same use.

24 Q. And isn't that what it is here? When they say  
25 harmonization, they say harmonization for Muster. Isn't Muster

09:36 1 a product?

2 A. Yes, it is.

3 Q. In the case of Muster harmonization meant--

4 A. Well, it meant in that case that because it was  
5 registered in Canada and not in the U.S. on canola, what they  
6 are interested in doing is getting it registered on canola in  
7 the U.S., so that's what it meant there.

8 Q. Okay. Thanks.

9 So, at least in the case of that pesticide,  
10 harmonization means the other country agreeing to what's  
11 already eligible for use in the first country so that they have  
12 the same--

13 A. Well, we may just be talking semantics here. I'm not  
14 really disagreeing with you. I'm just saying harmonization is  
15 a lot more than a product registration. That's all.

16 Q. I appreciate that a lot more would be involved than  
17 just okay, let's register?

18 A. Yes, that's all, really.

19 Q. I'd like--again, I'm going back to that Joint Hearing  
20 Bundle book, it's Volume 2 as well, and this time I'm going to  
21 go to Tab 41. I'm going to try to continue the theme of  
22 harmonization here. This is a document entitled, "Lindane Seed  
23 Treatment Update," October 2, 1998.

24 A. I have it.

25 Q. Are you familiar with this document?

09:37 1 A. Yes, I am.

2 Q. Do you know who wrote it?

3 A. It was likely me that wrote it.

4 Q. Great, okay. I'm going to the source.

5 And that would have been my guess, too, because the  
6 very bottom bullet on the page it says, "Wendy Sexsmith is  
7 contact point with Canola Council and EPA, and it's in  
8 significant part about what the EPA thinks of the world.

9 And looking at the second paragraph in particular now  
10 where it says, "EPA is concerned about the continuing use of  
11 lindane on canola in Canada apparently with a view to seeking  
12 cancellation of the use."

13 That surprised me a little bit because I wondered why  
14 EPA would be interfering in a particular use of lindane, which,  
15 as you know, is registered for all kinds of other uses in the  
16 U.S., so this wasn't a "oh, we don't like lindane" thing.  
17 Apparently with a view to seeking cancellation, is that usual  
18 for a foreign Agency to be seeking cancellation of a specific  
19 pesticide in another country?

20 A. No, I wouldn't think that would be usual.

21 Q. How did you come to learn that EPA wanted to cancel  
22 lindane on canola in Canada?

23 A. Well, in looking at this, what I would say it means is  
24 that--and I guess one has to appreciate that this is a very,  
25 very short Briefing Note or Note to provide some limited

09:39 1 information on what was going on around the development of the  
2 Voluntary Agreement, so there is--because of that very limited  
3 information in this document, and it was written just beyond  
4 point form in shorthand.

5           So, from my perspective, what it means is that it  
6 really refers to the issue of canola treated seed moving into  
7 the U.S. and the issue of EPA considering the border closure,  
8 if I could use those words, because of that. So, that's how I  
9 would interpret that sentence.

10       Q. All right. That certainly changes the meaning for me,  
11 not cancellation of use, but stop sending treated seeds over  
12 here?

13       A. Yeah, because really that was the issue we were really  
14 trying to address there.

15       Q. To the best of your recollection, who was this update  
16 written--for whose consumption was this update written?

17       A. I believe it was for our senior management.

18       Q. Senior to you at that time. Would that have been  
19 Ms. Franklin?

20       A. Pardon me?

21       Q. Would that have been Ms. Franklin?

22       A. Yes. Dr. Franklin, yes.

23       Q. Pardon me, I'm sorry.

24           You go on right after that sentence that we just  
25 discussed to say, "PMRA is not in a position to recommend such

09:40 1 action," cancellation of the use, I assume, "unless there was  
2 agreement for concerted action on all lindane products with the  
3 U.S. EPA."

4 So, that sounds to me like you would entertain  
5 canceling the lindane use if the EPA would expand the  
6 discussion to cover all lindane. Is that fair?

7 A. I believe what is meant here is that in the context of  
8 North America, if we were going to do something related to  
9 lindane; that is, re-evaluation or work together on a North  
10 American approach--that we really have to work together on  
11 that, and that really relates to the issue of harmonization  
12 that we have been talking about here today.

13 Q. Yes.

14 A. And the issue of level playing field. And so, it was  
15 really us as an organization, even though the language is a bit  
16 confusing, I admit. The idea there was that if we're going to  
17 move forward in some way on lindane, let's do it together.

18 And by "moving forward," I mean doing a special review  
19 or an evaluation or setting up a NARAP, that we really needed  
20 to work together on that.

21 Q. Okay. And I can see--well, the document shows in the  
22 subsequent paragraph various steps that are constituents of a  
23 proposal. The next paragraph begins, "The resulting proposal  
24 has emerged after follow-up to this issue both with the Canola  
25 Council of Canada and EPA staff."

09:42 1            Would that have been you that had been following up  
2 with EPA staff and Canola Council?

3        A.    It would have been me primarily with the Canola  
4 Council, and some aspects I would have been following up with  
5 EPA, but I may also have been working through PMRA staff with  
6 EPA staff, depending on the subject matter.

7        Q.    Sure, sure, okay.

8            And the third bullet is what I would like to draw your  
9 attention to, and it says, "Commitment between EPA and PMRA to  
10 work together to phase out all uses of lindane."

11           That sounds pretty unequivocal?

12        A.    Yes, it does, doesn't it? And I guess I'd like to  
13 just reiterate what I said earlier, that this was the barest  
14 bones of a note, you know, barely beyond bullet form. And the  
15 intent there with that phrase really relates to what I had said  
16 earlier, which was if we're going to move forward and work on  
17 lindane, let's do it together. I mean, both countries were in  
18 the same position of a scientific review needed to be done in  
19 order to make any decisions on any type of product unless the  
20 product was voluntarily withdrawn by the Registrant for  
21 business reasons.

22           So, that's how I would interpret that  
23 station--statement, not as categorical as it sounds because  
24 certainly Canada was in no position to say it like that because  
25 we can't just phase things out without doing a risk assessment

09:44 1 and having some reason to phase things out.

2 Q. Right.

3 A. Unless the company wants to.

4 Q. I was just going to add that exact proviso.

5 A. Yeah.

6 Q. If the industry walks away from it, problem solved?

7 A. Yeah, that's fine. Absolutely.

8 Q. And I just want to clarify this because the paragraph  
9 above those, "The resulting proposal has emerged," and then  
10 "commitment between EPA and PMRA to work together to phase out  
11 all uses of lindane."

12 Does that mean, was there an actual commitment, or was  
13 it proposed to have a commitment?

14 A. By an actual commitment, you mean something in  
15 writing, or how do you mean "commitment"?

16 Q. I will take whatever version it came out.

17 Was there a verbal commitment?

18 A. I believe in this case it would have been more verbal  
19 than anything, that we were in conversation related to the  
20 request that the Canola Council had made for us to contact EPA  
21 and see, you know, if the Agreement was something that, you  
22 know, they would be able to buy into as appropriate.

23 And so, out of those conversations, these things would  
24 have emerged. For example, while it wasn't part of the  
25 Voluntary Agreement, the stakeholder community was very

09:46 1 interested in more broadly dealing with the movement of treated  
2 seed, and we could not agree to developing a policy on the  
3 movement of treated seed unless the U.S. agreed, so we'd have  
4 to have those kind of discussions.

5 Q. And that was the fourth bullet, isn't it, at least  
6 that's how I read it, commitment by EPA?

7 A. That's correct. That's correct.

8 Q. And I will just finish it for the transcript.

9 A. Sure.

10 Q. To work together on a harmonized policy for a movement  
11 of treated seed. I guess I was trying to focus more on that,  
12 phase out all use of lindane part.

13 A. Sure, yes.

14 Q. All right. I'm turning now to Paragraph 41 of your  
15 first Affidavit, and I'm going to the first sentence of that  
16 paragraph. "By agreeing to voluntarily remove canola rapeseed  
17 claims from labels by December 31, 1999, and agreeing to a date  
18 for last use of July 1, 2001, the pesticide Registrants were  
19 effectively given three full years to phase out of production  
20 and sale of lindane-based products for canola use."

21 This was a comparable time frame to phase-outs of  
22 other Pest Control Products. As the EPA's November 23, 1998,  
23 letter confirmed, the alternative to the VWA would have been an  
24 immediate cessation of canola exports to the U.S."

25 By "canola exports," you mean exports of treated

09:48 1 seeds, treated canola seeds?

2 A. That's correct.

3 Q. Okay. And is there a--by saying the pesticide  
4 Registrants were effectively given three full years to phase  
5 out, and the alternative to the VWA would have been an  
6 immediate cessation, was there a document or a promise or an  
7 undertaking from EPA to allow this full three years of  
8 phase-out?

9 A. The best document that I can think of this morning is  
10 the record of agreement, where it's documented in the--and I  
11 don't have that--I don't have the number in front of me, but  
12 perhaps the legal team can come up with it, but the Record of  
13 Understanding, I mean, pardon me, that was developed between  
14 the U.S. and Canada really related to a large suite of  
15 agricultural trade issues. There were 17 broad headings, one  
16 of which was pesticides; and of the nine or so pesticide issues  
17 under that heading, one of which was the Voluntary Agreement,  
18 and--

19 Q. I'm sorry, I'm just going to interrupt you because it  
20 is in your Affidavit. It's under Tab WS-18. That might help  
21 you. Yeah, WS-18, Record of Understanding.

22 A. Yeah, yeah.

23 So, just offhand, that's the best recording of the  
24 U.S. agreement on the Voluntary Agreement.

25 Q. Right. And I guess my question was--my question was,

09:50 1 was there any--this was a Record of Understanding between the  
2 two. Well, perhaps we can turn to it then. Are you at it?

3 A. Yes.

4 Q. Okay. I'm looking at Section 13 of it. The pages  
5 aren't paginated, but it's, how many? It's two or three in  
6 anyway.

7 A. Yeah, I have it.

8 Q. Okay. And the bottom of the page?

9 A. Yes, I have it.

10 Q. And we can see the one, two, three, four--the fifth  
11 bullet, I think references the VWA.

12 A. Yes.

13 And since this was signed by very high-level  
14 signatories and agreed to by senior people within Canada and  
15 the U.S. in both the pesticide authorities and other areas,  
16 this was a public recognition by EPA that they accepted this.

17 Q. Well, I actually don't see that anywhere in there. I  
18 don't see any undertaking or--could you--maybe, I don't know.  
19 Am I missing it? There is nothing about the EPA in there. All  
20 it says is Canadian canola growers have requested Canadian  
21 Registrants to agree voluntarily to remove canola claims from  
22 labels of registered canola seed treatments containing lindane  
23 by December 31, 1999. All commercial stocks containing lindane  
24 for use on canola and lindane-treated canola seed would not be  
25 used after July 1, 2001. This is contingent on Registrants

09:51 1 requesting voluntary removal. EPA, PMRA growers and  
2 Registrants will continue to work together to facilitate access  
3 to replacement products.

4           There is nothing there about the EPA averting its  
5 gaze.

6           A. Well, you will note under 13, under Pest Control  
7 Products, it says to avoid future disruption and bilateral  
8 trade, Canada and the U.S. agree.

9           Q. Yes.

10          A. And so Canada and the U.S., and that would be the  
11 appropriate authorities of which the pesticide authorities  
12 were.

13           So, there may be other documents, but this is the one  
14 I can think of this morning.

15           ARBITRATOR CRAWFORD: Could I ask you a question. If  
16 following the conclusion of this--it's not a treaty, obviously,  
17 it's an MOU, but if the EPA closed the border to canola seed,  
18 what would your reaction be?

19           THE WITNESS: Well, we would--under that kind of  
20 condition, we would then be working with our Department of  
21 Foreign Affairs and our Department of Agriculture to try and  
22 resolve the issue.

23           ARBITRATOR CRAWFORD: Would you have expected such a  
24 closure following this agreement?

25           THE WITNESS: Pardon me?

09:53 1 ARBITRATOR CRAWFORD: Would you have expected such a  
2 closure following this agreement?

3 THE WITNESS: Not following the Agreement, no. We  
4 were--we clearly understood that the EPA supported the  
5 Voluntary Agreement. But if this had not happened--

6 ARBITRATOR CRAWFORD: Including the phase-out?

7 THE WITNESS: Yes, yes.

8 If this has not happened, certainly our agricultural  
9 trade people and our foreign affairs trade people would be  
10 immediately involved. And because we were the technical  
11 experts, we would have been involved. I don't know what the  
12 resolution would have been, but it would have been a very major  
13 issue for our Agriculture and Foreign Affairs Trade people.

14 PRESIDENT KAUFMANN-KOHLER: Mr. Somers, you can carry  
15 on.

16 MR. SOMERS: Thank you.

17 BY MR. SOMERS:

18 Q. In any event, so it's your evidence--I'm looking at  
19 the end of Paragraph 41--that absent a VWA, and the language is  
20 slippery here, but I'm going to read the last sentence from  
21 Paragraph 41. "As the EPA's November 23, 1998 letter  
22 confirmed, the alternative to the VWA would have been an  
23 immediate cessation of canola exports to the U.S."

24 And we agreed that we can qualify exports to be  
25 exports of treated seed; yes?

09:54 1 A. Yeah, primarily, although there was the concern about  
2 the oil and the meal.

3 Q. Fair enough.

4 So, but for--your evidence is that but for the VWA,  
5 there would have been an immediate cessation--well, the border  
6 would have closed, put it that way, to treated seed?

7 A. That's certainly my understanding from our interaction  
8 with EPA and as evidenced by the Lynn Goldman letter of  
9 November 23rd.

10 Q. All right. Okay. I'm turning now to Paragraph 45 of  
11 your first Affidavit.

12 It states, "In the CCGA,"--Canadian Canola Growers  
13 Association--"letter of November 26, 1998, under action time  
14 line, the only specific commitment relating to new  
15 registrations was as follows: December 31, 1998, any  
16 Registrant wishing to gain approval for a lindane-free seed  
17 treatment in time for the 1999 canola seeding must make a  
18 formal request to the PMRA. This applies only to requests in  
19 which lindane is removed from existing formulations of approved  
20 seed treatments."

21 In other words, "The commitment,"--I'm  
22 continuing--"did not apply to the registration of new  
23 pesticides such as the Claimant's Gaucho. It only applied to  
24 existing pest control formulations from which the chemical  
25 ingredient lindane had simply been removed."

09:56 1           With that sort of in mind, I'm going to ask you to  
2 turn to one of the tabs in your Affidavit again, WS-17, and  
3 that's the action time line that you referred to in  
4 Paragraph 45. I think it comes from that document in the  
5 second page of WS-17.

6           Do you have that, too?

7           A. Yes, I do.

8           Q. Okay. So, I take it that what you're calling a  
9 commitment here in your Affidavit is the statement in  
10 Section 5, action time line, Paragraph C, December 31, 1998,  
11 "Any Registrant wishing to gain approval for a lindane-free  
12 seed treatment in time for the 1999 canola seeding must make a  
13 formal request to PMRA. This applies only to requests in which  
14 lindane is removed from existing formulations of approved seed  
15 treatment."

16           So, that's what you mean?

17           A. Um-hum, yes, because that was a very short time line,  
18 something like 30 days.

19           Q. Could I ask you to turn to the previous page of WS-17,  
20 which is the first page of that letter to Dr. Franklin, and I'm  
21 looking at item three of the letter right there. "The Pest  
22 Management Regulatory Agency, PMRA, and the U.S. EPA will  
23 continue to work with Registrants to facilitate access to  
24 lindane replacement products.

25           Wouldn't the PMRA have considered that to be a

09:58 1 commitment as well, "continue to work," "facilitate," "will do  
2 so"?

3 A. Yes, I mean, that is a commitment. That is a very  
4 broad general commitment. It doesn't deal with which products  
5 or what kind of time line, because as you know, PMRA is not in  
6 a position to invent the products. The products come from the  
7 Registrants.

8 So, at that point in time, we had no knowledge of what  
9 products were out there, except perhaps the Gaucho one, and  
10 which products might be able to be submitted by companies that  
11 would, in fact, be able to be lindane replacement. So, we were  
12 under no ability really because we didn't know what was out  
13 there at that point in time except perhaps the Gaucho product.

14 Q. You were under no ability to guarantee a time, for  
15 example, that a registration would issue. I can appreciate  
16 that.

17 A. Well, yeah, more or less. I mean, we--the commitment  
18 was very general. I will admit it's a commitment, but it's a  
19 very general commitment. No time lines and no specific  
20 products.

21 Q. Right, facilitate access.

22 A. Um-hmm.

23 And that's appropriate for a regulatory agency.

24 Q. Well, actually--and I was thinking the same thing.

25 And so, when you did the action time line thing, that was kind

09:59 1 of pushing it, wasn't it?

2 A. Which action time line?

3 Q. The one we are talking about, C, because as you stated  
4 elsewhere in your material anyway, correct me if I'm wrong, the  
5 PMRA can't guarantee a registration?

6 A. No, we can't guarantee a registration.

7 Q. So, if somebody puts in a new formulation, even if  
8 it's lindane-removed from existing formulation, there is no  
9 guarantee, or is there?

10 A. Well, I think the sense was, and, you know, I'm not  
11 the most technical person here, and other people are probably  
12 better suited to answer this, but in the removal of a  
13 particular ingredient, what it was going to leave was  
14 fungicide-only, and that was a fairly simple registration  
15 action. So, to be able to do it in a fairly short time frame  
16 was well within our ability, and that was a commitment we were  
17 able to make.

18 ARBITRATOR CRAWFORD: Where in the record is your  
19 reply to that letter? I'm sorry, it's a question to counsel.

20 MR. DOUAIRE de BONDY: It's a document of February 9,  
21 1999. It's in the Joint Hearing Bundle at 79, Tab 9, Volume 2.

22 It's also Exhibit 25 to Wendy Sexsmith's first  
23 Affidavit.

24 BY MR. SOMERS:

25 Q. You had said at the time that of that letter that you

10:01 1 were aware of Gaucho, I think, as one--

2 A. I'm just presuming that I would have been at that  
3 point of time, so--because it was already registered in the  
4 U.S., and so I'm just assuming we would have known that,  
5 Gaucho, and that that was likely to come in.

6 And I think at that point in time it was already in  
7 for export only.

8 Q. All right. I'm going now to Paragraph 49 of your  
9 Affidavit, where we explore a little bit the function of the  
10 Voluntary Withdrawal Agreement. Again I'll read from there:  
11 "By entering into the VWA, canola industry stakeholders hoped  
12 to convince the EPA that lindane was indeed being phased out in  
13 Canada and would therefore not create an import issue. They  
14 hoped that in return the EPA would hold off its announced  
15 border action during the phase-out period. This would allow  
16 sales to the U.S. of Canadian canola products through at least  
17 the 2001 growing season despite the Canadian use of lindane  
18 during this phase-out period. Canadian canola farmers were  
19 therefore hoping for some sort of sign from U.S. Government  
20 that the VWA had been noted, suggesting that the marketing of  
21 lindane-treated canola could proceed undisturbed until 2001.

22 There's a lot of hoping there, but I don't--I still--I  
23 understand the request for withdrawal of registrations was  
24 embodied in the ROU, but that's all. The ROU, which was in  
25 December, I think, of 1998--it was December 2nd--didn't even

10:03 1 say that there were any Registrants that agreed. It just said  
2 they had been asked.

3 So, was it the case that actually--this was just--this  
4 was an offer by Canada, and they were--and by the Canadian  
5 canola farmers or canola industry, and that they were just  
6 hoping, without any undertaking or assurance or anything else,  
7 that action wouldn't be taken against canola?

8 A. Well, I think the position of the canola industry was  
9 in, was at that point a hoping situation, given they put  
10 together this agreement, but they had no power to make EPA  
11 accept it. And so, hence, the use of the word "hope."

12 But I think if we recall backwards, EPA, indeed, did  
13 not close the border. Indeed, there was no action, so history  
14 shows us that, in fact, they did agree.

15 And not only that, when the leftover treated seed was  
16 then allowed to be used in 2002, EPA again did not go forward  
17 with any border action, and in my Affidavit I do refer to the  
18 Record of Understanding as a vehicle to publicly show that both  
19 countries agreed with that proposal.

20 So, I think it's fair that the Canola Council was  
21 hoping because they were not the authorities, so they couldn't  
22 make EPA do anything, but EPA, in fact, did agree and did  
23 accept the proposal, and did not take any action.

24 Q. Sorry, was it a verbal agreement? I haven't seen any  
25 document where the EPA agreed not to enforce--

10:05 1 A. Well, what I'm saying is the Record of Understanding  
2 was the vehicle to show that EPA had agreed in writing. I  
3 mean, it was the EPA and the PMRA that were responsible for  
4 working on the pesticide-related activities under the Record of  
5 Understanding because while it was driven by both the very  
6 senior elements of agriculture in both countries, other  
7 departments in Canada that had actions to carry out, like, for  
8 example, fisheries might have had an action, the...

9 Anyway, a number of departments were involved. It  
10 wasn't just agriculture.

11 So, PMRA--EPA was a signatory, essentially, to the  
12 ROU.

13 Q. Yes, I understand that, but the ROU didn't contain any  
14 commitments at all from the EPA, did it?

15 A. Yes, it did. I mean, it said that both Canada and the  
16 U.S. agreed to.

17 Q. No, I mean commitments in relation to enforcing or not  
18 pesticide regulations against Canadian treated canola seed.

19 A. Well, I guess it depends how you read this, but I  
20 would read it that if, in fact, Canada and the U.S. agreed to  
21 that proposal that the U.S. would stand by, the fact that they  
22 wouldn't proceed with border action. It's implicit, if it  
23 isn't explicit.

24 Q. Yeah, I think we understand each other on that.

25 Thanks.

10:07 1           Can I ask you now to turn to Paragraph 81 of your  
2 Affidavit. This is a discussion of the negotiations of the  
3 correspondence back and forth between the Chemtura, what is now  
4 Chemtura and the PMRA.

5           A. Yes.

6           Q. So I'm just going to read again from Paragraph 81.  
7 "By making these demands, Mr. Ingulli ignored the fundamental  
8 problem created by his own company's subsidiary--that's  
9 Gustafson--the EPA, not the PMRA nor any other Canadian Agency  
10 was no longer allowing the importation of Canadian Canola  
11 Council products treated with lindane into the United States  
12 based on the application of U.S. legislation.

13           And if I go back to your Paragraph 30, we discussed  
14 already, here you say Canadian canola products treated with  
15 lindane--well, I assume you mean seed again; right? I mean,  
16 obviously, nobody treats oil with lindane.

17           A. No, but there was still a concern about potential  
18 levels of lindane--

19           Q. And we will get to that. But I just mean what you  
20 mean here.

21           MR. DOUAIRE de BONDY: I think she's trying to explain  
22 what she means there, and she's saying there is a concern about  
23 potential--Ms. Sexsmith, you want to go on?

24           THE WITNESS: Yes, I just wasn't sure where he was, so  
25 maybe you could repeat.

23:00 1 BY MR. SOMERS:

2 Q. I'm sorry. The EPA, second line of Paragraph 81, not  
3 the PMRA, nor any other Canadian Agency, was no longer allowing  
4 the importation of Canadian canola products treated with  
5 lindane into the United States based on the application of U.S.  
6 legislation."

7 So, in that sense, we are talking about treated seed;  
8 right?

9 A. No. That would be broader.

10 Q. So, you mean Canadian canola products oil treated with  
11 lindane?

12 A. Um-hmm, yeah.

13 Q. All right. Could I ask you to turn to Tab WS-6.

14 And looking at Page 2 of Exhibit WS-6, and so are you?

15 A. Yes.

16 Q. At the second paragraph, "As of June 1, 1998, EPA will  
17 ask U.S. customs to regard shipments of canola seeds that have  
18 been treated with a non-U.S. registered pesticide as shipments  
19 of an unregistered pesticides under FIFRA. FIFRA violations  
20 involving sale and distribution of the treated seed for  
21 planting within the United States will be handled under  
22 existing enforcement response policies after June 1, '98."

23 So, this is a letter from the EPA to the Commissioner  
24 of Agriculture for North Dakota, March 12, 1998.

25 A. That's right.

10:10 1 Q. So that's at least part of what you mean in  
2 Paragraph 81; right?

3 A. Um-hmm, yes, and it addresses the concern, which is  
4 what I have been talking about, around potential lindane in oil  
5 and meal.

6 Q. Yes, it does.

7 A. And here they have addressed the issue.

8 Q. Yes, to say that, well, I will read it, actually.

9 A. You don't have to. It says that the likelihood of  
10 form is exceedingly small, so they address the issue.

11 I guess what I have been trying to say all along is  
12 that, because of the concern raised not only about the seed,  
13 but also the other things that created some alarm with the  
14 Canola Council.

15 Q. So the Canola Council--

16 A. Yeah, so it was beyond just the seed, but here they  
17 have addressed that particular issue.

18 Q. Well, here--yes, they do, and they say they will  
19 enforce against treated seed.

20 A. Um-hmm, but not--

21 Q. But not against oil and meal?

22 A. That's right.

23 Q. Okay. So, I go on in your Paragraph 81, "The VWA had  
24 been conceived to stave off this EPA action." Now, that's  
25 action being the action as per that letter, closure of the

10:12 1 border to treated seed; right?

2 A. Yes.

3 Q. And there was no guarantee that the EPA would continue  
4 to look the other way if Canadian producers failed to abide by  
5 the phase-out period agreed to in the VWA. There was no--was  
6 there a guarantee that if the Canadian producers abided by the  
7 phase-out period in the VWA, the EPA would look the other way?  
8 Is that your evidence? I know it's not exactly what it says  
9 here, but I'm asking you today.

10 A. Yes. The EPA had committed to look the other way, if  
11 you will, for the period of the phase-out, if the VWA was  
12 really in place.

13 Q. The next document I really want to go to is actually  
14 in your second Affidavit.

15 A. Okay.

16 Q. It's Exhibit Number and Tab Number WS-99. Let me know  
17 when you have it.

18 A. Yes, I have it. I have it.

19 Q. Oh, good.

20 Page 2.

21 A. Okay.

22 Q. Okay. Do you have that?

23 A. Yes, I do.

24 Q. Okay, good.

25 It says at the top of the page, "In 1998, treated seed

10:13 1 was being shipped into the U.S. for planting. Seed treated  
2 with lindane has not been sold for planting in the U.S. since  
3 1998."

4           So, it seems to me, now, this is a letter from--to you  
5 from JoAnne Buth, Vice-President of Crop Production it says, of  
6 course, of the CCC. Seed treated with lindane has not been  
7 sold for planting in the U.S. since 1998. All seed destined to  
8 the U.S. is exported bare or treated with a seed treatment that  
9 is registered in the U.S. and Canada; e.g., Gaucho, or Helix."

10           So, the VWA did nothing. It wasn't needed, right,  
11 there was no need to have EPA avert its gaze against treated  
12 seeds because no treated seeds came into the United States even  
13 before the VWA; isn't that right?

14       A. Well, just to make sure the context of this document  
15 is clear.

16       Q. Please.

17       A. This document was written by the Canola Council in  
18 order to support the use in 2002 of the leftover  
19 lindane-treated canola seed, so the Canola Council was required  
20 to provide the status of the use of lindane vis-à-vis canola,  
21 and some idea of how they could justify the use of  
22 lindane-treated seed in 2002.

23           So, that was the purpose of this document.

24       Q. Right. Well, thank you for that, but as far as the  
25 factual statement in it made by Ms. Buth, there were no

10:15 1 shipments of lindane-treated canola seeds into the U.S. for the  
2 entire period of the VWA, were there, unless she's not  
3 accurately--

4 A. You know, I can't comment on that remark. Obviously,  
5 it's from an expert, so I presume it's correct, but I can't  
6 comment any further on that. Obviously, it was the Canola  
7 Council who wanted the VWA. They wanted it clearly for a  
8 reason. It was put in place. EPA agreed to it. There was no  
9 border action.

10 Q. Would it be fair to say that that wanting by the  
11 Canola Council of a VWA was very consonant with the desire of  
12 the PMRA to phase out all uses of lindane?

13 A. No. I would say at that point in time they were  
14 mutually exclusive. Lindane was an older product. And  
15 according to the new re-evaluation policy that was being  
16 developed, it would naturally fall into the queue for review.  
17 And then with the international activity around concerns for  
18 lindane, Canada would be required to do a review, but  
19 requiring--being required to do a scientific review is quite  
20 different than getting rid of a product because the scientific  
21 review has to come first.

22 So--and the upshot or the result of a scientific  
23 review, it could be positive or negative.

24 So, you know, if you're saying that because the Canola  
25 Council wanted to get rid of it that lined up with PMRA's view

10:17 1 of wanting to get rid of it, I have to say categorically, no,  
2 because we don't have personal views of products. We're a  
3 regulatory organization. We regulate. We ensure health and  
4 environmental safety. And it's the science that tells us  
5 whether or not it meets those provisions.

6 So, for us to make a conclusion before we've done the  
7 work is not something that we do as an organization, so I would  
8 just have to say no to your statement.

9 Q. In other words, the PMRA--you're saying no to my  
10 statement means that the PMRA had no interest either way in  
11 continuing to permit the use of lindane or disallowing it?

12 A. Yeah, if you're saying PMRA thought this was a  
13 foregone conclusion, you know, with or without a scientific  
14 review, I mean, I have to say no. Certainly, we could read the  
15 tea leaves in that there were--there was a lot of international  
16 concern about the product, but that didn't preclude the  
17 requirement that we had as an organization under our  
18 legislation to carry out a scientific assessment, and that's  
19 what we had agreed to through the Aarhus Protocol.

20 Q. Right.

21 Just one last observation or question on Exhibit WS-99  
22 that letter from JoAnne Buth. On the first page of it, under  
23 Item 1 near the bottom of the page, "In 1998," it says, "we did  
24 not know the likelihood of detecting residues in canola seed,  
25 oil, and meal."

10:19 1           And then on the third bullet it says, "Residue testing  
2 by lindane manufacturers has shown .0058 parts per million  
3 lindane in canola seed, but no detectable residues in refined  
4 canola oil or meal."

5           So, in fact, in hindsight, I guess, because this  
6 letter was written November 20, 2001, but before the Special  
7 Review resulted in termination of Chemtura's registrations, but  
8 obviously long after the VWA had been in place.

9           In fact, the VWA never really accomplished anything  
10 because there were no treated seeds since 1998--we see  
11 that--there were no detectable residues. So, had the FDA  
12 looked, they wouldn't have found anything in oil and meal. So,  
13 there was actually quite a crisis in lending and alarm for  
14 nothing.

15         A. Well, I'm sure you didn't hear that from the Canola  
16 Council people, that there was a crisis. There was a real  
17 issue for them. They were the ones who created the VWA.  
18 Certainly the regulatory authorities did their bits. And I  
19 have never heard from them that the VWA accomplished nothing.

20         Q. I guess I was more asking for your view than theirs.

21         A. Well, it was their agreement, and my understanding was  
22 they were very pleased at the success of the Agreement and  
23 stand by it to this day.

24           (Pause.)

25         Q. I'm turning to Paragraph 119.

10:23 1 A. Which Affidavit?

2 Q. Your first one, I'm sorry. I had to dip into the  
3 second for that document, that's all.

4 A. Okay.

5 Q. You stated a few times that the VWA was the CCC's  
6 arrangement and responsibility, not the PMRA's, but  
7 nevertheless the PMRA had a very extensive role in implementing  
8 that VWA; isn't that right?

9 A. Well, we did have a role. There were a number of  
10 regulatory actions that we had to be involved in for the VWA to  
11 work.

12 Q. Right.

13 A. So, I'm not sure I would use the word extensive, but  
14 certainly we had very specific roles to play.

15 Q. All right. And one of those was--is set out in  
16 Paragraph 119. The PMRA would have had to issue new labels for  
17 the removing canola use from the various products that had  
18 originally had a canola use prescribed on the label; right?

19 A. Yes, we would have had to approve new labels. The  
20 labels are actually developed by the companies. They make the  
21 changes, and they send them in to us, so it would have been the  
22 companies that would have taken lindane off, the uses off, and  
23 we would have approved that.

24 Q. Under the terms of the VWA, which you--I will leave it  
25 there.

10:25 1           In terms of the VWA, manufacture had to cease at the  
2 end of 1999 of lindane for canola. The labels also had to have  
3 the canola use submitted for removal from at the end of 1999,  
4 and that would mean, even though the product would continue to  
5 be used until July 2001, it would technically be a breach of  
6 the Regulation because it would be being used for a nonlabel  
7 use; is that correct?

8       A. No, it's not correct. It wouldn't be a breach of the  
9 Regulation. This is under--carried out under ministerial  
10 discretion and is a not uncommon approach to use the  
11 phase-out--phase-out approach at the end of a product or use's  
12 life in order to deal with disposal of hazardous wastes and  
13 other sorts of costly endeavors.

14       Q. My understanding is that the Regulations restrict  
15 usage of the pesticide to labeled uses; is that right?

16       A. When a product is registered, it's registered for  
17 those particular uses, yes, and at those particular rates that  
18 are on the label. But what I'm saying is the use of a  
19 phase-out period is a common tool implemented under the  
20 Minister's discretion for dealing with the end of a use of a  
21 product or the end of a product's life.

22           And this sort of phase-out approach is used certainly  
23 in the U.S. very commonly, and often their phase-out periods  
24 are much longer, up to six and seven years. And other  
25 countries would use the same type of approach. The three-year

10:27 1 phase-out period is something that's fairly commonly used in  
2 Canada.

3 Q. And in those phase-out periods, the label use is  
4 removed at the beginning of the phase-out period and the  
5 product is continued to be sold through its phase-out period on  
6 an off-label use?

7 A. Not necessarily. The phase-out could actually take  
8 place with the product registration intact.

9 Q. In place, exactly.

10 A. Um-hmm.

11 But what I'm saying is that this is very much within  
12 ministerial discretion to carry out this type of approach.

13 Q. In Paragraph 119, the third sentence, it says, "The  
14 PMRA exercised its discretion under the Act not to strictly  
15 enforce the new labeling, excluding canola, until January 1,  
16 2001."

17 A. Okay, that's correct.

18 Q. And that's where you say that the Act--the Pest  
19 Control Products Act, presumably--gives the Minister the  
20 discretion to decide not to enforce an off-label use?

21 A. That's right.

22 Q. Turning now to the issues around the appointment of  
23 the Lindane Board of Review, that's at Paragraph 166.

24 A. In which Affidavit?

25 Q. In your first Affidavit.

10:29 1           There you say, "On May 6, 2002, the Minister of Health  
2 notified Chemtura that its requests for a Review Board had been  
3 forwarded to the PMRA for appropriate action."

4           Were you part of the--or would you have been part of  
5 the--any of the persons at PMRA who would have taken  
6 appropriate action having received a request like that?

7           A. No, not at that time.

8           Q. I'm now jumping to Paragraph 168 where the second  
9 sentence from the bottom, "Far from commencing proceedings to  
10 compel the Government of Canada to perform its statutory duty,  
11 Chemtura instead delayed the process by a year only to withdraw  
12 its objection."

13           Do you know who actually appointed the Lindane Board  
14 of Review, as it happened? It was the Minister of Health,  
15 wasn't it? In the materials attached to your Affidavit, it's--

16           A. Well, I have to say I wasn't directly involved at that  
17 point in time.

18           Q. I see.

19           A. So, some of the other witnesses can address this  
20 better than I can.

21           Q. So if I said that the objection of Chemtura that  
22 resulted in this delay was to the fact that it was the PMRA  
23 initially that was going to appoint the Review Board, and that  
24 after this legal process in Federal Court went on, it was the  
25 Minister that ended up appointing the Review Board, that

10:31 1 wouldn't amount to withdrawing its objection. Chemtura wasn't  
2 withdrawing its objection; it was achieving the relief that it  
3 sought by having the Minister appoint the Board instead of the  
4 PMRA.

5 A. Well, I really have to plead ignorance on that.

6 Q. Oh, okay.

7 A. And I think my colleague, Dr. Claire Franklin, who was  
8 directly involved, would be better situated to deal with that  
9 directly.

10 Q. Okay. The legal documents and then all of those  
11 applications and the letters to lawyers and stuff were all  
12 attached to your Affidavit and commented on in it, so that's  
13 why I'm taking it up with you, but I appreciate your  
14 clarification.

15 A. Yeah.

16 Q. I'm now going to your second Affidavit, and I'm  
17 beginning at Paragraph 16.

18 A. Yes, I have it.

19 Q. Okay. It starts, "The many questions surrounding  
20 remaining lindane uses as of the late 1990s, were of obvious  
21 concern to the end-users of the product. In Canada, the main  
22 end-users were Canadian canola growers. They were facing a  
23 combination of negative circumstances relating to their  
24 continued lindane use, pressure from environmental groups to  
25 withdraw their use of the product, potential negative publicity

10:33 1 affecting canola's key image as a healthy product, the prospect  
2 that current registered uses might not be supported for much  
3 longer by the regulator, and the absence of any registered  
4 Canadian alternative."

5 Just kind of as an aside, the prospect that current  
6 registered uses might not be supported for much longer by the  
7 regulator, you mean the prospect that you might cancel the  
8 registrations? And this is the late 1990s.

9 A. Yeah. Well, at that point in time, there were several  
10 issues surrounding lindane from the regulatory perspective, and  
11 one would have been the international concern and the  
12 requirement for countries who had remaining lindane uses to  
13 assess them, and so it certainly was reasonable that a question  
14 would remain as to the outcome of the Assessment, whether it  
15 was going to be negative or positive, particularly given many  
16 countries in the world had by that world had eliminated most,  
17 if not all uses of lindane.

18 And then secondly, as a matter of course, PMRA was in  
19 the process of developing a new policy on re-evaluation of  
20 older products, and lindane would have been part of that, so,  
21 again, it would not be untoward to understand. There might be  
22 some question as to the resulting outcome of that re-evaluation  
23 when it should take place, so that would be the answer to that.

24 Q. I'm jumping ahead to Paragraph 19 and picking up  
25 there. "In all of these circumstances, Canadian canola farmers

10:35 1 took the decision that, from a business perspective, their  
2 continued use of lindane posed a threat to their industry, and  
3 that given all the current questions about lindane, the absence  
4 of alternative products left them seriously exposed. In these  
5 circumstances, they decided to voluntarily transition away from  
6 lindane use and toward other products. This was what the  
7 canola industry sought to achieve through the VWA."

8           And now I'm going to ask you to turn to another  
9 document that's attached to this second Affidavit. It's WS-90.

10       A. Yes, I have it.

11       Q. Okay. That's an e-mail, and from it's a couple of  
12 years later. I can see it's 2001. The part of the e-mail that  
13 I'm interested in is January 18, 2001, and it's an e-mail by  
14 JoAnne Buth relating a conversation that you had with her.  
15 She's says, "Wendy Sexsmith has informed me that the review of  
16 lindane had been pushed back, and the Report/decision will not  
17 be made until September 2001." She's talking about the Special  
18 Review, of course.

19       A. Yes.

20       Q. "I suspect that the delay may be due to EPA's  
21 workload. We had hoped to have a decision by now. If the  
22 decision was positive for both Canada and the U.S., it would  
23 give the manufacturers enough time to gear up production for  
24 the 2002 season. I know there are varying views on whether or  
25 not lindane for seed treatment uses will pass the review, but

10:37 1 we need to be ready in case the decision is positive."

2 "A couple of things. Have any of you have had contact  
3 with the EPA regarding the time line for the completion of the  
4 review? Is there any way to advance the review? I suspect  
5 Canada would be able to follow along with EPA if we could get  
6 the"--obscured by an exhibit mark--"to commit to an earlier  
7 decision time." I assume it said "PMRA" there.

8 "Two, what amount of lead time do you need to gear up  
9 for production for the 2002 season? What is the latest  
10 possible date?"

11 This is from JoAnne Buth to, as we can see from the  
12 e-mail above, in fact, to Uniroyal, to Chemtura. It may as  
13 well have been sent to other people. It's been obscured. This  
14 was the copy that we--obviously you attached to your Affidavit,  
15 I don't know, but I'm referring to is in Section one where it  
16 says, "Have any of you have had contact with the EPA."

17 In any event, I guess I'm going to focus on the  
18 obvious point of the e-mail, which is that if PMRA had made a  
19 positive nontermination, noncancellation of use finding in  
20 relation to lindane, they would be right back with it; isn't  
21 that right?

22 A. Well, I would certainly read from this that JoAnne  
23 Buth was trying to be prepared as to whichever might happen.

24 Q. Exactly.

25 A. And--I mean, I think that's reasonable and logical.

10:39 1 Q. All right. And, in fact, there was an alternative  
2 product already available, wasn't there? The replacements?

3 A. Yes. There were two, really. There were your Gaucho  
4 products and then there was Helix registered in 2000.

5 Q. So, I guess what I read that to mean is that the  
6 industry liked lindane, and if it was available along with  
7 Helix and along with Gaucho, they needed to be ready in case  
8 the decision is positive, gear up production.

9 So, is that not the implication you take from this  
10 e-mail?

11 A. Well, I don't think this is news, really, because all  
12 through the piece, through the Voluntary Agreement, there was  
13 discussion about if the conditions were such that the U.S.  
14 maintained the registration or registered canola or the  
15 re-evaluation was positive and a tolerance was set, and  
16 Canada--the outcome of Canada's Special Review was positive,  
17 then lindane could be reinstated.

18 So, I don't think this is news at all. I think what  
19 it shows me is JoAnne Buth is trying to be prepared, as she  
20 always is.

21 Q. I agree. I agree that she's trying to be prepared.  
22 All right.

23 I'm turning now back to your second Affidavit at  
24 Paragraph 17.

25 "As I've reviewed in my first Affidavit as of

10:41 1 March 1998, following a tip-off by a Chemtura subsidiary," it  
2 says, "the U.S. EPA announced that all imports of  
3 lindane-treated canola seed would be stopped at the U.S. border  
4 as of June 1, 1998. This news did not come in isolation. It  
5 was directly related to all of the negative news emerging about  
6 lindane as of that time."

7 I just wanted to ask for your clarification on what  
8 that means. How was the tip-off by Gustafson related to  
9 negative news emerging about lindane? By "negative," I assume  
10 you mean negative environmental news or something, but I'm  
11 asking for your clarification.

12 A. Yes. Well, from my perspective, this news really  
13 relates to the announcement that EPA wouldn't allow the import  
14 of lindane-treated canola seed, and I mean, that was announced  
15 around the same time that there was a lot of concern about  
16 lindane internationally, and as well as environmental groups'  
17 concerns and so on. So, that's really what is meant there.

18 Q. All right. I'm going to ask you--I'm again going to  
19 go into that Joint Hearing Bundle book. It's Volume 2 again,  
20 but I'm now at Tab 42 of it. That is--well, it's Exhibit B-6  
21 in the record, but Tab 42 of Volume 2 of the Joint Hearing  
22 Bundle. It's a letter from Lynn Goldman of the EPA to someone  
23 called Peter Scher, Special Trade Ambassador to USTR, and in it  
24 Ms. Goldman is reviewing the issue of the canola treated seed  
25 matter.

10:44 1 I'm focusing on the first paragraph in the--in that  
2 letter. It starts--it's not a great copy, so I apologize, but  
3 it says, "There are, however, a set of issues concerning  
4 differences in pesticide product availability, use, and  
5 pricing."

6 Do you see that?

7 A. Yes, I do.

8 Q. "We are told that these pesticide issues are  
9 exacerbating the dispute over trade practices. EPA is prepared  
10 to take specific actions which are consistent with our already  
11 significant bilateral harmonization efforts and which should  
12 reduce friction over pesticide issues."

13 "One of the most pressing issues for our Northern  
14 state growers is the greater availability in Canada than the  
15 U.S. of approved pesticides for canola, flax, dried beans, and  
16 lentils. While this disparity is real, we believe it exists  
17 primarily as a result of private marketing decisions by  
18 pesticide producers. U.S. and Canadian registration evaluation  
19 practices and standards are quite similar in scope, focus, and  
20 effect. But the market for pesticides used on these crops,  
21 particularly canola, is substantially greater in Canada than in  
22 the U.S."

23 Have you seen that document before?

24 A. Yes, I have.

25 Q. Oh, okay. Good. You're familiar with it.

10:46 1           As you read that, would you not agree with me that  
2 that is in direct reference to the issue that arose following  
3 the tip-off by the Chemtura subsidiary?

4       A.   Well, as I read the whole letter, it's, in fact, much  
5 broader than that.  Essentially as I understand that what Lynn  
6 Goldman is doing is reporting to the U.S. trade folks all of  
7 the activities that U.S. and Canada are carrying out to try and  
8 level the playing field or to try to deal with these nontariff  
9 trade barriers that, you know, are obvious, and what she's  
10 stating here is that obviously with canola, flax, dried beans,  
11 and lentils, there are some specific issues for the Northern  
12 state growers.

13           And interestingly enough, normally, the U.S. has the  
14 pesticide registrations before Canada because our market is so  
15 small here in Canada--less than 2 percent of the world  
16 market--most companies go to the U.S. first to get products  
17 registered.  Canola is one of the few things that's different  
18 in that Canada is the largest grower of canola in the world,  
19 and so companies will come to Canada to register a product  
20 first.  So, you know, I think it's broader than the question  
21 than what you mentioned, although it does certainly touch on in  
22 a number of areas the movement of treated canola seed, and  
23 certainly on Page 2 in the second paragraph it addresses that  
24 most directly.

25       Q.   Yeah, and I appreciate that.  I guess my point was

10:48 1 sort of the other way around. It was those parts of the letter  
2 that specifically mentioned canola and Canada-U.S. disparity  
3 arise because of the tip-off; is that right? I mean, would you  
4 agree?

5 A. Well, I mean, it is very likely that that is the case  
6 because they're really addressing the Northern border issue,  
7 but I don't know that for a fact. I mean, obviously there  
8 appears to be a relationship, but it's much broader. Her--Lynn  
9 Goldman's response is certainly not simply zeroing in on the  
10 canola seed treatment issue. She's really addressing the suite  
11 of potential pesticide trade-related issues.

12 So, I mean, I can't say yes or no, but obviously there  
13 is a link.

14 Q. Back to your Paragraph 17?

15 A. Which?

16 Q. Of your second Affidavit.

17 A. I'm there, yeah.

18 Q. I'm back to the last sentence in that paragraph, "This  
19 news did not come in isolation. It was directly related to all  
20 of the negative news emerging about lindane at that time."

21 A. Um-hmm.

22 Q. Just for my understanding, what news, the news that  
23 the EPA announced?

24 A. Yes.

25 Q. You're suggesting that the EPA announced that imports

10:50 1 of lindane-treated canola seed would be stopped because of the  
2 negative news emerging about lindane?

3 A. No. This did not come in isolation, that from the  
4 Canadian canola growers' perspective, it would be, oh, no, one  
5 more nail in lindane's coffin potentially, if I can put it like  
6 that.

7 Q. I appreciate that.

8 A. You know, that there's the international issues,  
9 there's countries getting rid of it. It's a key product for  
10 them. They have environmental groups after them to get rid of  
11 it. The sense that, you know, it doesn't really play into  
12 their healthy image or healthy oil, and then the U.S. is going  
13 to stop everything at the border. So, that really is what is  
14 meant there, as I indicated previously.

15 Q. Can I ask you to turn to Paragraph 78 of your second  
16 Affidavit.

17 A. Yes, I have it.

18 Q. I will just pick it up there. I note that with regard  
19 to one of the alleged conditions the Claimant ascribes to the  
20 Voluntary Withdrawal Agreement in its original Memorial, the  
21 Claimant has significantly changed its position. In its  
22 Memorial submission, the Claimant argued that the PMRA's  
23 position at that time was that a favorable outcome in the EPA's  
24 RED process would ultimately decide whether lindane could be  
25 used on canola in Canada."

10:52 1           With that in mind, could I ask you to turn to--go back  
2 to your first Affidavit and go back to Tab WS-28 in there.

3 That's a letter from Dr. Franklin to Mr. Ingulli of Uniroyal.

4           Let me know when you have it.

5       A.    I have it. I have them both.

6       Q.    Oh, great.

7           All right. I'm looking at WS-28, first page, last  
8 paragraph, three, four lines up from the bottom, and it  
9 says--and this is written or signed, I'm sure, I'm sorry, by  
10 Dr. Franklin, but in your statement at the beginning of your  
11 first Affidavit, you said you wrote all of this correspondence  
12 in this regard, so you would have written this; is that right?

13       A.    I may have; I may not have as well because it related  
14 to re-registration, so it may have been written by people more  
15 involved in that area.

16       Q.    Well, in Paragraph 2 of your first Affidavit, the last  
17 sentence says, "I drafted all of the letters sent by Dr. Claire  
18 Franklin, then-Executive Director of the PMRA, concerning the  
19 voluntary withdrawal of lindane use on canola."

20           And then I see--

21       A.    Well, I may very well. All I'm saying is there are  
22 other people in the organization, and because this deals with  
23 lindane, you know, I'm a bit gray on it. I can't exactly  
24 remember whether I did it, but it's more dealing with less a  
25 Voluntary Agreement and more re-registration, but, you know,

10:54 1 that's a small issue, to me.

2 Q. Well, the second sentence of the letter is, "Although  
3 the Voluntary Agreement does not promise," blah, blah, but fair  
4 enough. If you could help me with it, I would be grateful.

5 A. Yeah.

6 Q. The fourth line from the bottom, "The ultimate fate of  
7 the current lindane registration is in the U.S. and will be  
8 decided in the re-registration review."

9 Now, that's the basis for the statement in the  
10 Claimant's Memorial that you cite at Paragraph 78 of your  
11 second Affidavit, and I'm wondering if you can tell me what  
12 that meant, if not what Chemtura thought it meant.

13 A. I will just take a minute to reread this.

14 Q. Take your time.

15 (Witness reviews document.)

16 A. Well, my understanding of this is that that whole  
17 paragraph relates to the status of lindane in the U.S., not in  
18 Canada, so all of the those sentences in that paragraph deal  
19 with the status in the U.S. And I draw your attention to on  
20 the next page and Page 2 right at the top, what it's saying is  
21 what's going on in Canada, and what is said here is that the  
22 PMRA has recently announced a special review of all uses of  
23 lindane.

24 So, that's what that is. It's really documenting the  
25 status of lindane in the U.S. and where we are in Canada.

10:56 1 Q. Well, now, I could understand or arrive to that too.  
2 If that sentence said as follows: "The ultimate fate of the  
3 current lindane registration in the U.S. will be decided by the  
4 re-registration review." Because "Re-registration" is an  
5 American word for the "process."

6 A. Yes.

7 Q. But that's not what it say. It says the ultimate fate  
8 of the current lindane registration is in the U.S. The fate of  
9 it is in the U.S., okay?

10 A. Um-hmm.

11 Q. So, that's not how I read it.

12 A. Yeah. Well, I guess it's a bit unfortunate in a  
13 number of ways, but certainly it's in the paragraph that really  
14 deals with the U.S. status, and talks about lindane is  
15 undergoing re-eval through EPA. That current toxic database,  
16 that is not our database, that is the U.S. database, and what  
17 had EPA done, they required three additional toxic studies.  
18 There are some issues under the Food Quality Protection Act,  
19 and obviously the--what's going to happen with lindane in the  
20 U.S. is the U.S. responsibility and will be decided through the  
21 registration review. So, that would be my understanding of  
22 what that means.

23 And then in the following paragraph it talks about the  
24 Canadian status, which is fairly simple at that point in time  
25 and doesn't really talk about a lot of detail because it's

10:58 1 fairly early on, because that would have been just announced in  
2 March of '99.

3           So, in fact, we would have just announced it 10 days  
4 before this letter, so obviously we didn't have a lot more  
5 detail at that point in time on what was going on with the  
6 Special Review.

7           So, my understanding of this that one, two, three,  
8 fourth paragraph on that first page was to provide some detail  
9 on what's going on in the U.S. and that would have been taken  
10 from a U.S. document, so we weren't inventing this. This would  
11 have been information provided to us from the U.S.

12         Q. I'm going back to your second Affidavit, Paragraph 78,  
13 and just picking up text where I left off.

14         A. Right.

15         Q. Now, it appears that the Claimant is arguing its  
16 condition was that the PMRA would reinstate lindane use on  
17 canola if the U.S. EPA reached a positive decision prior to the  
18 PMRA completing its Special Review, or if the PMRA reached a  
19 positive conclusion in the Special Review.

20           Now, I will ask you to turn--and again I'm going back  
21 to your first Affidavit and at Tab WS-40 in it, and that's the  
22 letter to Dr. Franklin from Mr. Ingulli, October 27, '99, that  
23 we have already seen. In the fourth paragraph, which is Page 2  
24 of WS-40, it says, "In the event that PMRA determines that  
25 lindane is safe to be used on canola as a seed treatment or EPA

11:00 1 should issue a canola tolerance or determine that lindane is  
2 exempt from requiring a tolerance in canola, Uniroyal shall  
3 request from PMRA the reinstatement. PMRA agrees to grant such  
4 reinstatement," and that was the letter. I assume you drafted  
5 the Agreement of because it went out over Dr. Franklin's  
6 signature the next day.

7 A. Um-hmm.

8 Q. So, since she agreed with the  
9 provisions--Dr. Franklin, I'm sorry, agreed with the provisions  
10 of this letter in writing, didn't that mean that either Agency,  
11 a positive outcome from which from either Agency would entitle  
12 a re-registration of the Lindane Products?

13 A. You will have to give me a minute on this one.

14 Q. Sure.

15 (Witness review document.)

16 PRESIDENT KAUFMANN-KOHLER: Ms. Sexsmith, we are  
17 waiting for your answer.

18 THE WITNESS: I know.

19 PRESIDENT KAUFMANN-KOHLER: You know, good.

20 THE WITNESS: I just wanted to make sure because there  
21 is a series of letters, and I just wanted to be very clear.

22 PRESIDENT KAUFMANN-KOHLER: That's fine.

23 THE WITNESS: So, yes, I know it's my turn.

24 (Witness reviews document.)

25 THE WITNESS: Okay. Yes, I apologize for the time. I

11:05 1 just wanted to make sure that I was clear, given the many  
2 letters that were drafted and responded to around that period,  
3 so...

4 Now, in my Affidavit, my first Affidavit, the response  
5 to your question related to Item Number 4 was essentially that  
6 particular request around reinstatement if, in fact, there was  
7 a positive finding concerning lindane.

8 BY MR. SOMERS:

9 Q. Just to be clear, by "positive" we mean lindane can  
10 continue to be used?

11 A. That's right, yeah, yeah, including the commitment  
12 around fast-tracking. You know, we didn't have any objection  
13 to that particular statement or set of statements, and it would  
14 apply equally not only to Chemtura, but to all of the four  
15 Registrants. And it really revolved around the need for the  
16 conditions for reinstatement to have been achieved, and that  
17 is, you know, the end result of a special review. And I guess  
18 we would note that those conditions were never achieved in that  
19 the Special Review in Canada was negative, the re-registration  
20 decision in the U.S. was negative. There was no tolerance  
21 granted in the U.S.

22 So, sorry for the time it took, but I just wanted to  
23 make sure that I was thinking about the right letter.

24 So, is that clear? Thank you.

25 ARBITRATOR BROWER: Could I ask you a question,

11:07 1 however.

2 THE WITNESS: Certainly.

3 ARBITRATOR BROWER: Because I thought or as I saw it  
4 the thrust of the question was that Paragraph 4 seems to say  
5 that action by the EPA in issuing a tolerance for determining  
6 lindane exempt from requiring a tolerance would alone require  
7 you to reinstate the lindane registrations, even though the  
8 review was ongoing.

9 THE WITNESS: Yes.

10 ARBITRATOR BROWER: For how long would they be  
11 reinstated? There is no limitation indicated.

12 THE WITNESS: It would be until the completion of the  
13 review, because in the Special Review we were reviewing the  
14 canola uses as if they were still a registered use. And if  
15 under our Special Review we hadn't found any negative--any  
16 safety concerns, which we did, then in principle we would have  
17 had no issue in reinstating if a tolerance had been set in the  
18 U.S. But, in fact, through our review, we did have safety  
19 concerns, and when that happened, we would not have been able  
20 to reinstate because the conditions essentially had changed.

21 ARBITRATOR BROWER: I understand that, but the point  
22 that I thought was being made by or sought to be made by  
23 Mr. Somers was that, to the extent the EPA might have acted  
24 favorably in respect of lindane seed treatments before your  
25 process was concluded, you were willing to basically have your

11:09 1 action tied to and determined by the EPA. In other words, the  
2 EPA action could automatically reinstate in Canada some--

3 THE WITNESS: Only related to the tolerance--excuse  
4 me, sorry.

5 ARBITRATOR BROWER: I understand. But that's all that  
6 was needed in the United States.

7 THE WITNESS: And only if through our review we hadn't  
8 come up with some health or environmental safety concerns  
9 which, in fact, we did.

10 ARBITRATOR BROWER: I understand. But it's an issue  
11 of timing involved also.

12 THE WITNESS: Yeah, yeah.

13 ARBITRATOR BROWER: Okay. Thank you.

14 PRESIDENT KAUFMANN-KOHLER: Would this be a good time  
15 for a break? This or soon?

16 MR. SOMERS: No, this would be a good time.

17 PRESIDENT KAUFMANN-KOHLER: Fine. So, let's take 20  
18 minutes.

19 And, Ms. Sexsmith, since you are under examination, I  
20 would ask not to speak to anyone about your testimony during  
21 the break.

22 THE WITNESS: Sure, that's fine.

23 PRESIDENT KAUFMANN-KOHLER: Thank you.

24 (Brief recess.)

25 PRESIDENT KAUFMANN-KOHLER: So, we are all ready, I

11:33 1 think you start again, Ms. Sexsmith.

2 Mr. Somers, if you are, you may please continue.

3 MR. SOMERS: Thank you, Madam Chair.

4 BY MR. SOMERS:

5 Q. Before the break, earlier this morning, we were  
6 discussing about the removal of label uses in December of '99  
7 for canola, lindane for canola seed treatment, removal of that  
8 use in December '99, but the discretion that the Minister has  
9 to not enforce the rule that would normally say pesticides can  
10 only be used for label uses.

11 At the conclusion of the Special Review, the  
12 Registrant Chemtura, and I assume others, were notified that  
13 they would have an option. They could voluntarily withdraw and  
14 enjoy a phase-out or, failing which, they would be terminated  
15 wouldn't get any phase-out; is that right?

16 A. That's my understanding, yes.

17 Q. The discretion that you testified to that the Minister  
18 had to enforce or not could as equally have applied in that  
19 situation, could it not? So, even where a Registrant would not  
20 voluntarily give up a registration, the Minister could have  
21 allowed a phase-out or could have prescribed a phase-out rather  
22 than a cold termination and cessation of all sales; isn't that  
23 right?

24 A. Well, in fact, the offer on the table was to provide  
25 that, and three Registrants took PMRA up on that. It was

11:36 1 Chemtura that did not.

2 Q. Right.

3 But consonant with the approach of PMRA that you  
4 described earlier of equal treatment, wouldn't it have been,  
5 well, at least possible for the Minister to say, "Well, you're  
6 getting terminated and you will get the phase-out, too," rather  
7 than have them have to volunteer. Wouldn't that have been  
8 within the enforcement discretion of the Minister?

9 A. Well, I guess when you talk about equal treatment, it  
10 would be for equal things. And, you know, as I understand it  
11 as a result of the Special Review, three out of the four  
12 Registrants agreed with the PMRA proposal, and so got treatment  
13 X, whereas Chemtura didn't, and so, under that situation, there  
14 was no opportunity for phase-out.

15 Q. Well, I'm going to suggest a correction to that  
16 statement: There was an opportunity for phase-out, but PMRA  
17 refused. It was possible to do legally. It was just not done.  
18 It was a decision on the exercise of discretion.

19 A. Well, I would say the offer was on the table equally  
20 to all four Registrants, and Chemtura chose not to take the  
21 offer of the phase-out.

22 Q. I was particularly taken by your--just now when you  
23 said equal treatment for equal things.

24 A. Um-hmm.

25 Q. Because I think it's reasonable to characterize the

11:37 1 Registrants as being rather in unequal positions in terms of  
2 what they were giving up, whether voluntarily or by order of  
3 the PMRA, in terms of their relative investment and size and,  
4 therefore, corresponding penalty of giving up.

5 A. Mm-hmm.

6 Q. The other thing is that, would it not be--would it not  
7 pose the greatest disposal problem for the Registrant with the  
8 biggest market share and, therefore, the biggest inventory and  
9 the biggest amount of lindane in the system to be terminated  
10 abruptly and not be allowed to phase its product out? Would it  
11 disproportionately impact that Registrant by giving them a huge  
12 disposal problem, so not only can they not make the sales, but  
13 they have to incur expense with by far larger a volume of  
14 material than all of the others put together?

15 A. Well, then I reiterate the issue that PMRA put on the  
16 table resulting from the Special Review which found  
17 unacceptable risk that provided for a phase-out period that  
18 Chemtura chose not to take.

19 Q. Yeah. And on the point of, I guess, unacceptable  
20 risk, for the PMRA to have allowed the other three Registrants  
21 to continue to sell suggests that the risk wasn't as imminent  
22 or urgent as what an absolute termination would have suggested  
23 or, put another way, if it the risk was imminent or urgent like  
24 that, it wouldn't make any sense to allow the voluntary  
25 withdraw and accompanying phase-out.

11:39 1       A.   Well, that's quite true. Under situations where there  
2 is an urgent risk situation, you know, absolutes will come into  
3 play. But typically for older products that have been on the  
4 market for a long time, the whole purpose of re-evaluation is  
5 to examine those products and make sure they meet current  
6 standards, and in this case lindane did not. And so a  
7 reasonable course of action is that it can be allowed to be  
8 phased out of the marketplace as opposed to, you know, an  
9 urgent kind of action with imminent risk. And this is quite a  
10 normal process for regulatory programs all over the world.

11           The issue, I think, on the table is, that Chemtura was  
12 offered the phase-out and chose not to take it. So, other  
13 action had to be taken.

14       Q.   I understand that. But there were choices. It didn't  
15 have to be that particular action, did it? It could have been,  
16 yes, you're terminated and phase your stuff out. Because if  
17 there was a risk associated with the product causing either  
18 termination or soliciting a voluntary withdrawal, creating a  
19 disposal problem increased the risk. It didn't reduce it.

20       A.   Mm-hmm.

21       Q.   So, I guess I don't understand how the refusal to  
22 exercise a discretion assisted in the management of risk. If  
23 anything, it accentuated it by, if I could put it this way,  
24 playing hard ball?

25       A.   Mm-hmm.

11:41 1 Q. Didn't it?

2 A. Well, I don't see it that way. I think Chemtura had  
3 the same options that the other Registrants had. They chose  
4 not to take it. PMRA was left with no option, given the  
5 unacceptable risk issue. They had to take a stand and take an  
6 action, and so that's what was done.

7 ARBITRATOR BROWER: May I ask a question or two at  
8 this point.

9 THE WITNESS: Sure.

10 ARBITRATOR BROWER: At the time the registrations were  
11 revoked, the Special Review had not been completed; is that  
12 correct?

13 THE WITNESS: No, it had been completed.

14 ARBITRATOR BROWER: It had been completed?

15 THE WITNESS: Yes, it had been completed.

16 ARBITRATOR BROWER: Right. You, PMRA, the Minister,  
17 however, would have had discretion, I assume, to permit a  
18 phase-out a use of an existing inventory as had been done with  
19 the other Registrants.

20 THE WITNESS: That's correct.

21 ARBITRATOR BROWER: Okay.

22 THE WITNESS: And that was the option that was  
23 provided to all of the Registrants--

24 ARBITRATOR BROWER: Right.

25 THE WITNESS: --at the end of the Special Review,

11:42 1 given that the risks were unacceptable for that product to  
2 continue.

3 ARBITRATOR BROWER: Right.

4 THE WITNESS: And so the issue that--the option that  
5 was put on the table was that if they came in for a voluntary  
6 discontinuation, they would get a phase-out period. The  
7 product would be discontinued and then phased out.

8 ARBITRATOR BROWER: But would it be a fair conclusion  
9 that the refusal to exercise discretion to permit Chemtura to  
10 sell that plant--it's inventory, let's put it that way--was  
11 based solely on its refusal to have cooperated in the Voluntary  
12 Withdrawal Agreement?

13 THE WITNESS: Yes, yeah.

14 ARBITRATOR BROWER: That's what--

15 THE WITNESS: Yeah. I mean the option was on the  
16 table that this was one approach, and they chose not to take  
17 that approach.

18 ARBITRATOR BROWER: And was--well, then, I think the  
19 answer to my next question, in light of what you just said, is  
20 probably clear, but I will ask it nonetheless. And this  
21 decision not to permit a phase-out because, as you say,  
22 Chemtura failed to cooperate with a Voluntary Withdrawal  
23 Agreement was done without consideration of the environmental  
24 impact of the inventory having to be burned or otherwise  
25 disposed of as opposed to being planted? Because we heard a

11:44 1 number of statements to the effect that the environmentally  
2 correct way of disposing of something like this is to plant it  
3 and not to burn a big pile of it.

4 THE WITNESS: Mm-hmm, yeah. And that's often what is  
5 done at the end of the life of a product, or even under special  
6 circumstances, as was done in 2002 with the leftover  
7 lindane-treated seed. But in this particular case, that option  
8 was there for Chemtura to take up, and they chose not to take  
9 it up. Yeah.

10 ARBITRATOR BROWER: We understand that.

11 I just had--maybe getting ahead of this, but so this  
12 will be the last question for the time being, I think a  
13 complaint of Chemtura is that its application to register CS FL  
14 was treated rather slowly by comparison to the Helix. Was the  
15 failure of Chemtura to have cooperated, as you saw it, in the  
16 Voluntary Withdrawal Agreement a factor also in the exercise of  
17 PMRA's discretion in relation to how that application for CS FL  
18 was handled?

19 THE WITNESS: No. But I just want to make sure I  
20 understand what product you're talking about. Is that Gaucho?

21 ARBITRATOR BROWER: It's the all-in-one Gaucho.

22 THE WITNESS: Okay.

23 MR. DOUAIRE de BONDY: Judge Brower, would you mind if  
24 I jumped in?

25 ARBITRATOR BROWER: As opposed to the two that were--

11:46 1 THE WITNESS: Oh, the earlier one, the earlier two.

2 ARBITRATOR BROWER: The two earlier ones, yes, I'm  
3 sorry.

4 THE WITNESS: Yeah, okay.

5 MR. DOUAIRE de BONDY: If I could just jump in. I  
6 think we are speaking at cross-purposes here because the  
7 voluntary withdrawal that Ms. Sexsmith is referring to is  
8 actually the withdraw that occurred over 2001, 2002, in  
9 relation to the determination that the lindane did not meet  
10 acceptable use standards. That was in October of 2001.

11 ARBITRATOR BROWER: Right.

12 MR. DOUAIRE de BONDY: And so it's the withdrawal with  
13 regard to the non-canola products, and so that's what she's  
14 talking about, as opposed to the separate Voluntary Withdrawal  
15 Agreement relating to lindane use on canola, which had been  
16 determined in '98 and happened through to 1991. So I believe  
17 that Ms. Sexsmith is actually referring to the withdrawal at  
18 Exhibit WS-61 and 62 of her Affidavit with regard to Chemtura's  
19 refusal and so on.

20 ARBITRATOR CRAWFORD: Could I follow up.

21 THE WITNESS: Yeah. Can I just make sure I've  
22 answered this particular question.

23 ARBITRATOR CRAWFORD: Of course.

24 THE WITNESS: I mean, if you're saying that because  
25 Chemtura was a little reluctant, I guess, at the outset to be

11:47 1 part of the canola growers Voluntary Agreement, did that impact  
2 in any way on our review process, the answer is no. I mean,  
3 one of the things about being a regulatory organization is, you  
4 know, essentially, we don't have personal views. We're  
5 professionals, and we're trying to meet time lines, trying to  
6 make sure we get good information so we can do good science,  
7 scientific assessments to make sure we are protecting the  
8 public, yet providing benefits to Canadians.

9           And, you know, certainly I stand behind the  
10 organization when I was there in that everybody was highly  
11 professional and had no personal view that would evoke itself  
12 in our registration decisions.

13           Sorry, so that...

14           ARBITRATOR CRAWFORD: Am I wrong? My understanding is  
15 that the terms of the Voluntary Withdrawal Agreement in terms  
16 of the phase-out period for lindane on canola remained in force  
17 after the decision of the PMRA.

18           THE WITNESS: Can you repeat that again? Sorry, I  
19 just lost the front of it.

20           ARBITRATOR CRAWFORD: Certainly. My understanding is  
21 that the terms of the Voluntary Withdrawal Agreement which  
22 allowed a phase-out until mid 2001 remained in force for  
23 Chemtura throughout. That was never revoked.

24           THE WITNESS: In that the aspects of them asking us to  
25 remove the canola use for lindane from their label, the answer

11:49 1 is yes, yeah; and in that production had to stop in  
2 December '99, the answer is yes; and, you know, the use was  
3 supposed to stop planting and--product use as of July 1st,  
4 2001, the answer is yes. Yeah.

5 PRESIDENT KAUFMANN-KOHLER: When you say that there  
6 was an offer to phase out for all four Registrants and Chemtura  
7 did not take it, this does not refer to the Voluntary  
8 Withdrawal Agreement of either '98 or '99, depending on what  
9 version you adopt, but it relates to what happened later in  
10 2001 or even 2002 with respect to non-canola uses; is that  
11 right? Because I was just confused about your statement.

12 THE WITNESS: Mm-hmm. Yeah, no, that's absolutely  
13 correct, that under the Pest Control Products Act and  
14 Regulations at this time, there were certain sections that are  
15 used with regard to (a) registration; and, (b) taking products  
16 off the market. And in this case, it was, I think,  
17 Section 13--anyway, Section 13, I think, and--let's see. Let  
18 me just make sure I've got this right.

19 No, it would be--Section 16 would be used, and if I  
20 could read this, it's just the language that is used in the  
21 legislation and in the Regulations, and this is Exhibit, as  
22 counsel referred to, WS-62 in my first Affidavit, and it  
23 talks--this is a letter to Chemtura.

24 MR. DOUAIRE de BONDY: WS-61, actually.

25 THE WITNESS: I'm sorry, yeah. WS-61.

11:51 1           And it's, "Should you choose to voluntarily  
2       discontinue sales of your product, as indicated, we request you  
3       notify the Minister in accordance with Section 16 of the Pest  
4       Control Product Regulation by submitting a letter in accordance  
5       with the model letter attached by a time frame."

6           And so this was essentially after the Special Review  
7       was completed, unacceptable risk was found, and Registrants  
8       were then--all four of them or more, because there were more  
9       Registrants of lindane than Registrants of lindane and canola.  
10      So all Registrants who had a lindane product would have been  
11      notified that as a result of the Special Review, risks  
12      unacceptable, termination of products required, this is a way  
13      to do it, and you do it under Section 16.

14           And it was indicated in that letter that if you don't  
15      respond that way, action will be taken under the authority of  
16      Section 20. So, this, you know, legal counsel is quite right  
17      that this does not refer to the Voluntary Agreement. And sorry  
18      for the confusion. It's really the language in the Regulations  
19      that used those words.

20           PRESIDENT KAUFMANN-KOHLER: No, but it is clear now.

21           THE WITNESS: Okay.

22           PRESIDENT KAUFMANN-KOHLER: You can continue, then.

23           MR. SOMERS: Thank you.

24           ARBITRATOR CRAWFORD: Sorry. Do we have in the record  
25      a request by Chemtura for phase-out, notwithstanding the

11:52 1 withdrawal of the registrations for the non-canola products?

2 MR. SOMERS: I'm sorry? Was that to us?

3 ARBITRATOR CRAWFORD: That was directed to you.

4 Is there in the record a request from Chemtura to the  
5 PMRA for a phase-out period for the non-canola products which  
6 would be registered as a result of that decision?

7 MR. SOMERS: I can't name the exhibit number, but  
8 there is correspondence from Chemtura in--along with the PMRA's  
9 demand to Chemtura to withdraw its non-canola products, was a  
10 request for various inventory levels and quantities remaining.  
11 The purpose of that--

12 THE WITNESS: It's WS-62 and 63, if that helps. Where  
13 you have made the--you've responded to that by providing the  
14 five-year sales figures, but you only say that you don't agree  
15 with the Section 16 approach.

16 ARBITRATOR CRAWFORD: That was my point.

17 THE WITNESS: Yeah. But no action, no additional  
18 information was in the letter about wanting a discontinuation  
19 or phase-out.

20 MR. SOMERS: No, I mean--

21 THE WITNESS: Yeah.

22 BY MR. SOMERS:

23 Q. Well, I don't want to argue with you, this will be for  
24 my argument, but why else would a Registrant have put in  
25 amounts?

11:54 1 A. Well, all Registrants were asked to be provided with  
2 amounts.

3 Q. All Registrants were asked to withdraw as well; isn't  
4 that right?

5 A. Yes, they were. Yes.

6 Q. Okay.

7 I guess I would like to maybe put a hypothetical on  
8 that. I'm still on the non-canola here. We are in post  
9 October 2001. PMRA has made a request of all Registrants to  
10 withdraw their non-canola products. The benefit, as expressed  
11 by PMRA, for doing so will be the ability to phase out their  
12 existing product and use it up both environmentally and  
13 financially soundly, if I can put it that way. Since Chemtura  
14 refused, it was given a cold termination and no opportunity for  
15 phase-out. The PMRA had--if you disagree with me, you let me  
16 know. The PMRA had the information as to the inventories and  
17 quantities that Chemtura would have had to dispose of, whether  
18 by, in your discretion, allowing them to sell the material or  
19 dispose of through less environmentally sound and more costly  
20 disposal procedures, so you knew those quantities as well.

21 A. Yes, we knew the quantities because you provided them.

22 Q. Right.

23 In terms of the promotion of equal treatment, was it  
24 not open to the PMRA to tell all four Registrants rather than  
25 volunteer and get the carrot, don't volunteer and get the stick

11:55 1 rather than that just tell them?

2 A. Well, I'm actually going to have to ask legal counsel  
3 for--to provide me with a copy of Section 20 of the PCPR.

4 Q. Well, excuse me, but before you do that--

5 A. Yeah, in order to answer your question, I just have to  
6 have a quick look because unfortunately it doesn't seem to be  
7 in my package.

8 Q. No, but I'm asking for your knowledge of the  
9 situation. In your senior position at PMRA and your knowledge  
10 of the Minister's scope of discretion, could he not--and this  
11 will not be in the statute, I don't think--could he not--or I'm  
12 sorry--she not have allowed directed the termination--just as  
13 you did for Chemtura at the end of the day--but directed the  
14 termination of all Registrants' registrations for non-canola  
15 Lindane Products and allowed to them phase out?

16 A. Well, as I said before, that was offered to Chemtura  
17 as it was offered to all Registrants. And Chemtura, for their  
18 own reasons, decided not to take that offer up.

19 Q. Yes, we understand.

20 A. So, the option for the Minister at that point was to  
21 use Section 20.

22 Q. One option, one option because they also had the  
23 discretion, or PMRA actually, I will say, obviously had the  
24 discretion to deviate from that just like they did for nonlabel  
25 uses in 1999.

11:57 1 A. Yeah, just excuse me for a second.

2 Q. Mm-hmm.

3 (Document handed to the witness.)

4 A. So, your question again? Sorry. As I've answered  
5 parts of it. I'm just not sure what's left.

6 Q. Was it not open to the Minister to give all four  
7 Registrants the same proposal which is your product shall be  
8 terminated and you shall phase out all of your existing  
9 inventories? Was that not open to the Minister? Equal  
10 treatment.

11 A. Well, you know, and we have sort of an ongoing  
12 disagreement here that the Minister did provide equal treatment  
13 to the Registrants. The option was to come in under  
14 Section 16, and which would allow for a phase-out, and that was  
15 not done. Chemtura refused that option.

16 Q. Yes, I understand that. What I asked you was  
17 something entirely different.

18 A. Mm-hmm.

19 Q. My question was: Couldn't the Minister have done it a  
20 different way in using her enforcement discretion, have put the  
21 same proposal to all four Registrants, which was your lindane  
22 registrations as a result of the Special Review will be  
23 withdrawn and you all have a phase-out period? Was that not  
24 open to--

25 PRESIDENT KAUFMANN-KOHLER: There are not four

11:59 1 Registrants for the non-canola uses. There are many others.

2 THE WITNESS: No, they're many Registrants.

3 MR. SOMERS: Excuse me, thank you. Thank you for the  
4 correction.

5 THE WITNESS: Yeah.

6 The only way that could have been done, the Minister  
7 would have had to cancel or suspend those registrations.

8 BY MR. SOMERS:

9 Q. And certainly the Minister wouldn't above doing that  
10 to give it to Chemtura, so could that not have been done for  
11 all of them?

12 A. Yes, it would be possible.

13 One of the issues, though, around cancel or suspend,  
14 that really is code--that is not code. Those are the real  
15 legal words for the word "ban." Many Registrants do not like  
16 their products to have been banned. Most Registrants like  
17 their products to have been withdrawn, taken off the market by  
18 themselves, and that's a--really a business reason relating to  
19 their good name as Registrants.

20 So, the first line that PMRA uses in re-evaluation is  
21 offering up that possibility to a Registrant. Many Registrants  
22 do this on their own because of their own issues. For example,  
23 DuPont took benomyl off the market throughout the whole world  
24 before any country could use that word "ban," and that was  
25 because of several studies relating to babies being born

12:00 1 without eyes, and it was linked to benomyl. So, DuPont,  
2 worldwide, took the product off the market, but nowhere can  
3 anybody say that it was banned.

4 So, the point of Section 16 is to provide that  
5 opportunity for Registrants. And for the most part, when an  
6 unacceptable risk is found, companies are very interested in  
7 following up with taking it off the market as opposed to being  
8 canceled or suspended, which is really what the outside world  
9 calls "ban."

10 So, yes, it's possible.

11 Q. I appreciate that.

12 A. But the common man approach in regulatory authorities  
13 is to use this vehicle where companies come in themselves.

14 ARBITRATOR CRAWFORD: It would have been possible  
15 under Section 20 of the Act for the Minister to de-register the  
16 product on terms and conditions, which could have allowed a  
17 phase-out.

18 THE WITNESS: But that's right. This is what I'm  
19 saying, but it would have been canceled or suspended.

20 ARBITRATOR CRAWFORD: Yes.

21 THE WITNESS: Which is equivalent to the word "ban."  
22 And frequently, if not always, companies like to avoid that  
23 word because it has negative connotations. If they come in and  
24 withdraw it, there is no banning.

25 ARBITRATOR CRAWFORD: You have explained why you

12:02 1 offered the Section 16 to the Registrants; I can see that  
2 entirely. What you haven't explained is why you didn't  
3 consider--

4 THE WITNESS: Mm-hmm.

5 ARBITRATOR CRAWFORD: --the Section 20 possibility of  
6 a phase-out for the Claimant, and I asked whether the Claimant  
7 had asked for that phase-out.

8 THE WITNESS: And they did not. Nowhere in the  
9 correspondence is it in evidence. They said that they  
10 disagreed with the reason for termination or that it needed to  
11 be terminated, but they didn't provide that.

12 BY MR. SOMERS:

13 Q. Could I ask for--I'm shifting gears a little bit here,  
14 going on to a different subject. Could I ask for Exhibit 71 to  
15 Chemtura's reply to be given to you. I don't think you have it  
16 in front of you. It's Exhibit 71 at Tab 71 of the confidential  
17 book of exhibits to the Reply of the Claimant, Volume 2 of 2.

18 ARBITRATOR CRAWFORD: Volume?

19 MR. SOMERS: Unfortunately it's not in the Joint  
20 Hearing Bundle.

21 Volume 2 of 2, that's right.

22 BY MR. SOMERS:

23 Q. The document is a thread of e-mail. The e-mail I'm  
24 referring to is the e-mail from Wendy Sexsmith to Lois Rossi of  
25 the U.S. EPA of November 13, 2001.

12:04 1 MR. DOUAIRE de BONDY: I don't believe that the  
2 Claimant has provided a copy of this for the witness and  
3 therefore--

4 MR. SOMERS: No, we haven't.

5 MR. DOUAIRE de BONDY: Do we have it now?

6 MR. SOMERS: Tab 71.

7 ARBITRATOR CRAWFORD: It would be good to read it into  
8 the record.

9 MR. SOMERS: Thank you. I will.

10 BY MR. SOMERS:

11 Q. Do you have it in front of you now?

12 A. Yes, I do now. Yes, um-hmm.

13 Q. Okay. The part I'm asking you about is the e-mail  
14 from Wendy Sexsmith to Lois Rossi dated November 13, 2001. It  
15 starts, "Hi, Lois"--no, I'm sorry. It's dated November 6 of  
16 '01.

17 A. Mm-hmm.

18 Q. "Hi, Lois, was nice to meet with you yesterday. I  
19 have attached a Lindane Exposure Assessment piece as discussed.  
20 Also just as a note, I presume you require for a tolerance  
21 petition the submission of a current registered label for uses  
22 in the exporting country. With respect to the canola lindane  
23 tolerance petition that you have received, there are no  
24 currently registered uses for lindane on canola in Canada, and  
25 therefore no currently registered label in Canada available for

12:06 1 such a petition."

2           If I look up the e-mail as well to the one at the top,  
3 the e-mail gets forwarded, as we can see, by Lois Rossi, and  
4 then presumably to Betty Shackelford who, in turn forwards it  
5 to Mark T. Howard of the EPA, so the document travels through  
6 the EPA.

7           A.    Mm-hmm.

8           Q.    Marty says: "Betty, I ran a quick compare between the  
9 Wendy Sexsmith attachment and the one PMRA had given me  
10 earlier. They are the same, so they haven't changed anything  
11 between the time I got the Exposure Report from Jeff Parsons  
12 and now."

13           So, presumably, and I will ask you if you can remember  
14 and you can confirm for me, the attachment that you sent to  
15 Lois Rossi, the Lindane Exposure Assessment piece, had already  
16 been forwarded to the EPA previously.

17           A.    Yes. EPA and PMRA had been working closely together  
18 on all aspects of lindane re-registration/re-evaluation. And  
19 if I recall correctly, Lois Rossi was new, and I had been  
20 meeting with her, and there were some follow-up questions that  
21 I was providing answers to. And if I recall our discussion, we  
22 were just trying to make sure that EPA had the most recent  
23 piece of work from PMRA, and it seemed that they did.

24           Q.    They did, okay.

25           A.    Yes.

12:07 1 Q. And correct me if this is not so, but you say in the  
2 second sentence of your--third sentence of your e-mail, also  
3 just as a note, I presume you require, meaning Lois Rossi  
4 hadn't asked you for anything. You were volunteering this.  
5 You were presuming that she needed something and letting her  
6 know that you could not give it to her.

7 A. Yes. You know, I don't remember it very clearly, but  
8 that's certainly what that seems to say is that in our  
9 discussions it may not have come up, but normally a current  
10 label would be required from the other country to the reviewing  
11 country in order to establish an import tolerance.

12 And so all that would mean is they would be looking  
13 for an older label, not a current one, as they'd have to find  
14 some way of looking at the uses.

15 Q. Normally, wouldn't that come from the petitioner for  
16 the--

17 A. Normally, yeah.

18 Q. Why, then, were you advising her of something that  
19 would have been a dialogue between the petitioner for the  
20 tolerance and the EPA?

21 A. Well, often Registrants can't seem to find their  
22 labels, and so regulatory authorities are asked by Registrants  
23 for copies of their own label.

24 Q. That wasn't the case here, though.

25 A. No, but it's not uncommon for us to talk about, you

12:09 1 know, do you have a current label or lot, and we will have a  
2 look and see if we have one.

3 Q. But that wasn't the case here. You didn't talk about  
4 because you said I presume--

5 A. No.

6 Q. --it would be required.

7 A. No. No, you know, as a followup, that would be a  
8 logical train of thought relating to setting a tolerance in the  
9 U.S. for something that would be coming from Canada. Yes.

10 Q. Could I ask you to turn to your second Affidavit  
11 again, please, at Paragraph 81.

12 Do you have it?

13 A. Yes, I'm there.

14 Q. Did I say first? I think I said first. I meant the  
15 second Affidavit. I'm sorry.

16 Where we left off before.

17 A. Okay.

18 Q. Paragraph 81 begins: "I would first emphasize what  
19 should be obvious: The PMRA never agreed to reach either a  
20 positive or indeed a negative conclusion in the Special Review  
21 of lindane."

22 And I'm going to return to the rest of that paragraph  
23 in a second, but then jump down to the next paragraph, 82.

24 There you say: "Moreover Chemtura's alleged expectation that  
25 the Lindane Special Review would reach a positive conclusion

12:11 1 was itself deeply unreasonable."

2           And I'm trying to reconcile those two, and I'm hoping  
3 you can help. It was deeply--

4           A. Well, I guess I would reiterate that as a regulatory  
5 organization we don't decide before we do the scientific review  
6 as to whether it's going to be positive or negative, that the  
7 process has to be undergone and the resulting scientific  
8 results really are what drive the decision.

9           So, you know, it's not something that PMRA was in the  
10 habit of doing, which was, you know, we would never agree to  
11 actually registering something. We would agree to do the  
12 review. So, if we were asked, you know, "As a condition to do  
13 X, we need to have a registration by Y," we could never agree  
14 to that because the registration means a positive decision, and  
15 we could never agree at the beginning of a review of any  
16 product, be it old or new, that the decision at the end was  
17 actually going to be positive. So, we could never agree to  
18 that.

19           And so with lindane, we wouldn't agree either to a  
20 positive or a negative conclusion because we would not have  
21 known what the scientific review was going to tell us.

22           Q. I see. You wouldn't have known that but--

23           A. No.

24           Q. --but I guess what I'm trying to understand from you  
25 is why it was deeply unreasonable for Chemtura to think that

12:12 1 anything but a negative would come out.

2 A. Well, certainly the environment at that point in time  
3 for lindane was rather negative. It had been considered for  
4 the UNECE POPs, and through that, essentially countries agreed  
5 to do a reassess--or an assessment of all remaining uses, and  
6 that was happening at that particular time. Certainly, a  
7 number of European countries had eliminated lindane, and the  
8 E.U. itself was looking at lindane as a whole. So, those were  
9 some the negative issues.

10 The other things of course were the environmental  
11 groups in Canada were certainly very interested in lindane,  
12 so--and I think as I read through some of the Chemtura  
13 documents, it was clear in those even that information passing  
14 certainly from the Canadian office to the American office  
15 indicated that the future was bleakish for lindane. But, you  
16 know, you do never know what the outcome is going to be from a  
17 scientific assessment.

18 So, the environment was negative. There just is no  
19 question about that. That doesn't mean that PMRA as a  
20 regulatory organization would go into a scientific review  
21 assured that the outcome would be negative.

22 Q. But you recall the exhibit we looked at before, WS-90,  
23 where JoAnne Buth is asking whether the manufacturers can ramp  
24 up quickly and reintroduce lindane in the event of a positive  
25 outcome, and she was at the Canola Council.

12:14 1 A. Mm-hmm.

2 Q. You wouldn't say that she was being deeply  
3 unreasonable, would you?

4 A. No. I think at that point that's good management, you  
5 know, to be aware of what the possibilities were, either way.

6 Q. But that was even later on.

7 A. Mm-hmm.

8 Q. That Wendy (sic) Buth e-mail was in January of '01.

9 A. Mm-hmm.

10 Q. You're not saying that the news about lindane  
11 internationally was getting better, were you?

12 A. Huh-uh, no.

13 Q. So...

14 A. And I guess the point here is that PMRA was neither  
15 firm on the fact that it would be registered or that it  
16 wouldn't be registered, but Chemtura was certainly very certain  
17 that it would be registered or would remain registered.

18 And I guess from a regulatory point of view, given the  
19 uncertain environment that was surrounding lindane, that didn't  
20 really seem reasonable that there should be some doubt, and it  
21 wasn't evident except in some of the early correspondence that  
22 Chemtura was aware and thought that lindane may be coming to  
23 the end of its life worldwide.

24 Q. I suppose you're saying--that reminds me of the  
25 exhibit we looked at earlier in the joint book--of the joint

12:16 1 hearing book, about the commitment between you and the EPA to  
2 phase out all uses of lindane. That would be--that would  
3 follow along the same--

4 A. Yeah, and I think what I did was explain that that was  
5 virtually a bulleted form of a note, and the intent of that was  
6 really EPA and PMRA needed to work together on lindane, period.  
7 And the other language that followed is less important than the  
8 issue of working together because of our interest in  
9 harmonization and leveling the playing field and removing  
10 nontariff trade barriers between the two countries.

11 Q. I'm now turning to that same joint hearing book, but a  
12 different tab: Volume 2, Tab 74.

13 Do you have it?

14 A. Yes, I do, yes.

15 Q. Do you recognize the document?

16 A. Yes, I do.

17 Q. And is the handwriting on it yours?

18 A. No.

19 Q. Do you know whose it is?

20 A. No.

21 Q. Could you turn the page, please, and there's two pages  
22 of notes that are attached to that Lindane Agenda.

23 A. Um-hmm.

24 Q. Exhibit--it's Exhibit 62 to a Chemtura reply.

25 Do you recognize that handwriting?

12:18 1 A. No, I don't. Sorry.

2 Q. All right. On the first page, Lindane Agenda, it  
3 reflects a meeting happening January 19th, 1999, at which you  
4 attended.

5 A. Yes.

6 Q. And along with the other individuals that I assume are  
7 listed and assigned various agenda responsibility on the top  
8 there.

9 A. Yes.

10 Q. To your recollection, were there any other persons at  
11 the meeting?

12 A. I don't recollect, frankly.

13 Q. All right. You have no reason to think there was.

14 A. No, I have no reason to think there was, but quite  
15 frankly, I apologize I can't recollect this specific meeting.

16 Q. On the first page, there's a notation, a handwritten  
17 notation, that says "return letter"--that's number one  
18 immediately typed to "next steps," "return letter, skip and  
19 stick value and efficacy to them."

20 Does that mean anything to you?

21 A. No, it actually doesn't. I have no idea what that  
22 means.

23 The--I mean, the one thing I could say is that one of  
24 the differences between Canada and the U.S. is that Canada  
25 requires efficacy data and also reviews it, and it's very much

12:20 1 an integral part of our decision-making process. The U.S. does  
2 not require efficacy data to be submitted, nor do they review  
3 it.

4 And so one of the issues we had consistently under our  
5 approach to harmonization was how were we going to--what role  
6 would efficacy play in any joint decision-making? So, this may  
7 be just a reference to having a conversation with the U.S. on  
8 the efficacy issue, and that's the only thing I can think of,  
9 because if we are doing something jointly and the U.S. doesn't  
10 review efficacy and we do, what are we going to do with that  
11 information in a joint decision? So, that was a perennial  
12 issue that we had, and that may be all that that is referring  
13 to, was flagging a key difference between the two countries.

14 Q. Turning to the next page now, and I'm looking at Item  
15 Number 4 on that page: Special Review, not re-eval. You can  
16 see that.

17 A. Yes, I can.

18 Q. So the discussion at this point is turned to the  
19 Special Review itself. So this would have been...

20 A. Yeah, the re-eval. notice came out in March.

21 Q. Right. So that's sort of in the lead up to the  
22 preparations for the public announcement of the Special Review.

23 A. Yeah. One of many, I would say.

24 Q. And the third bullet under that states: No Data  
25 Call-In.

12:22 1           So, already in January, it would have been decided  
2 that the PMRA wasn't planning to do a Data Call-In for the  
3 Special Review. It was going to rely, for example, on EPA  
4 data.

5       A. Well, I think, actually, that this refers to reminding  
6 staff that we were looking at a new approach to do  
7 re-evaluation, that the policy we were looking to put in place  
8 was really to build an approach that allowed us to re-evaluate  
9 older chemicals in a much more efficient and effective way.

10           The background for that is probably over the years  
11 leading up to the formation of PMRA, only a handful of  
12 chemicals ever did get re-evaluated because the pressure was  
13 always on the new products and only in very key issue areas was  
14 there re-evaluation. That was a concern to ourselves as an  
15 organization responsible for making sure products on the market  
16 were acceptable.

17           And it was also an issue for our people, the people  
18 who audited us in Government and our--some of our stakeholders.  
19 So, we were looking at a much more efficient way to do this  
20 without using up all our resources on old products.

21           And a key part of that was to gather up all of the  
22 information we could from other countries, and what I mean by  
23 that is scientific reviews and so on. So, the first step would  
24 not be a Data Call-In. And in the old days, as one might say,  
25 the first step was always a Data Call-In.

12:24 1           So, my interpretation of this was that at the meeting  
2 it had been reiterated that in the new approach to  
3 re-evaluation, the Data Call-In would come later, that our  
4 first would be try to find, get as much information from other  
5 countries and from within all organizations so that the Data  
6 Call-In would be the next step as opposed to the first step, if  
7 it was needed.

8       Q.    Was the Data Call-In the next step?

9       A.    You know, and I'm substantially removed from the  
10 re-evaluation process so--

11      Q.    Aren't we talking about the Special Review here?

12      A.    Yeah.

13      Q.    Okay.

14      A.    Yeah.

15      Q.    But--

16      A.    But the first step was not a Data Call-In, and that's  
17 what that refers to.

18      Q.    But at the top of it, it says "Special Review not  
19 re-eval." So, I'm assuming that when you're saying, "I'm  
20 removed from the re-evaluation process," so that has no  
21 pertinence here because it's a Special Review, not--

22      A.    Oh, yeah, sorry. It's a lingo issue.

23      Q.    Yes.

24      A.    A special review is a type of re-evaluation.

25      Q.    Even though it says not re-eval, it is?

12:25 1 A. Yes. That the umbrella would be re-evaluation of  
2 older products, for example. A special review tends to be a  
3 more specific type of re-evaluation, okay.

4 And as opposed to the old days, the first step would  
5 be to gather information from other countries to see what  
6 data--

7 Q. Yes, I understand that.

8 A. --we would then need through a Data Call-In.

9 Q. That was your fulsome answer.

10 A. Yeah.

11 Q. What I'd asked, was there a subsequent step as a Data  
12 Call-In?

13 A. Well, normally there would be if, in fact, the data  
14 was needed in the normal process.

15 Q. And today we're talking about the Lindane Special  
16 Review.

17 A. Yes.

18 Q. Was there one there?

19 A. I believe there was. But as I say, I wasn't involved  
20 in the day to day, so you've had other people talk about the  
21 process and were much more intimately involved than I.

22 Q. Okay.

23 You were not--you're not particularly involved in the  
24 Special Review?

25 A. Only at a very high level from a senior management

12:26 1 perspective.

2 Q. On the third page of this exhibit, under item seven,  
3 you're mentioned Wendy Canola Council, under item seven, about  
4 the fifth bullet down.

5 A. Right. And that would just refer to the fact that I  
6 was designated as the contact for the Canola Council--

7 Q. Right.

8 A. --because of the importance of the issue.

9 Q. And the agenda itself, you're given responsibility for  
10 status--I'm looking at the first page, Item 1--status U.S.  
11 activity and feedback; item two, status of voluntary removal  
12 and documents. And there it's written in handwriting  
13 "in-principle agreement," and we are in January 19, 1999.

14 A. Mm-hmm.

15 Q. And this is an internal meeting where presumably  
16 people are free to let it all hang out, so the volunteer--would  
17 that be the Voluntary Withdrawal Agreement since--

18 A. Yes, it was, yeah.

19 Q. And it's considered an in-principle agreement there?

20 A. Yes. Those are words that were used by PMRA and also  
21 by some of the Registrants, and it just meant it was an  
22 agreement.

23 Q. But they qualified the Agreement by saying "in  
24 principle"; they added words.

25 A. Mm-hmm.

12:27 1 Q. There must have been a reason that it was--perhaps  
2 that it was an agreement in principle?

3 A. Well, we used the words because, from our perspective,  
4 it was an agreement in principle, but we couldn't implement  
5 until the Registrants all came in, and so it was still early  
6 days yet. The Agreement had not been implemented. Some of the  
7 other Registrants used the same wording, "agreement in  
8 principle." Chemtura did not, but a number of the other  
9 Registrants just used that series of words, and I don't think  
10 it meant anything subversive. It just meant we agree, and, you  
11 know, we're waiting to see if it gets implemented which, in  
12 fact, it did, ultimately, because this was before the  
13 submission of the--from the Registrants to have the canola uses  
14 removed.

15 Q. I guess the way I would understand the word  
16 "agreement" would be they would agree to submit at future X  
17 date, and then when they finally did submit, that would be  
18 implementation of an agreement.

19 A. Um-hmm.

20 Q. Prior to that, there would have had to have been an  
21 agreement--

22 A. There was an agreement, and they agreed in principle.  
23 You know, I mean I can't say why Zeneca used those words but  
24 they did, and some of the other Registrants used those words.

25 Q. Well, I'm talking about the PMRA people.

12:29 1 A. Yeah, we used those words, too, but our reason for our  
2 using them is we agreed in principle, but the implementation  
3 had yet to take place.

4 Q. I understand that.

5 A. Yeah.

6 Q. And finally, you were also indicated on the agenda as  
7 assigned with the position/communication on lindane in item  
8 seven.

9 A. Um-hmm. And that just meant that I was the final  
10 sign-off before Dr. Franklin. That doesn't mean I did  
11 everything.

12 Q. Do the numbers--I'm looking at the third page now, and  
13 if I see that the numbers go to number--up to number seven, do  
14 those--one, two, three, four, five--and the handwritten notes  
15 correspond with the numbers on the agenda? I'm not sure that  
16 they do.

17 A. It doesn't look like it.

18 Q. Okay.

19 A. As there is no eight, and it looks like seven is  
20 actually eight.

21 Q. I see. All right.

22 A. Or it looks like it's mixed up. I don't--frankly, I  
23 don't really know.

24 And five is talking about categories, and five on the  
25 agenda is the international stuff, so I don't think there is

12:30 1 any--

2 Q. Obviously not.

3 A. --coordination at all.

4 Q. In handwritten item seven on the last page, the last  
5 two bullets state, "Communications JoAnne and Wendy," and then  
6 "close the door on all."

7 A. Frankly, I have no idea what that means. The  
8 communications, JoAnne was our senior communications advisor  
9 and so it would just mean that I'd be the Senior Manager  
10 working with her on developing any communication pieces which,  
11 in fact, was never done related to the Voluntary Agreement.

12 Q. I'm turning back to your second Affidavit,  
13 Paragraph 82.

14 A. Okay, I got the wrong one here. Okay, 82, you say?

15 Q. Yes, Paragraph 82.

16 At the top of Page 35, which is the end of, you know,  
17 the end of that paragraph, the last sentence in it, you say,  
18 "in such circumstances"--well, I will step--go back a couple of  
19 sentences to get the context in there--the circumstances that  
20 you allude to, at the bottom of Page 34. The last sentence on  
21 that page is, "indeed, the U.K. PSD's decision to ban lindane  
22 on the basis of occupational exposure was announced in  
23 June 1999. An E.U. review was known to be underway, and the  
24 U.S. EPA had just launched its own review of lindane. In such  
25 circumstances, the Claimant's alleged expectation that the

12:32 1 outcome of the Special Review would be positive strikes me as  
2 willful blindness." In other words, Chemtura should have  
3 expected a negative outcome.

4 A. Well, I think as I've said before, I think they should  
5 have understood there was some possibility for a negative  
6 outcome.

7 Q. Oh.

8 A. Which really didn't seem to be apparent except in some  
9 of your earlier communications from some staff in Chemtura.

10 Q. You're aware of the outcome from the 2002 RED at the  
11 EPA.

12 A. Um-hmm, yes.

13 Q. Where the existing lindane registrations were eligible  
14 for re-registration.

15 A. Um-hmm, but I'm also aware of the 2006 decision where  
16 everything was gone.

17 Q. But I think the expectation we are referring to is  
18 contemporary in the sense that I don't think even Chemtura  
19 couldn't have predicted what would happen in 2006, but at  
20 around the times we are talking about here, which is in the  
21 course of the Special Review, so between '99 and 2001.

22 A. Yeah, but I think people need to understand the  
23 process that the U.S. uses. They put out a draft, you know,  
24 saying, "This is kind of what we found, give us some more  
25 information." And based on that, in 2006, all uses were taken

12:33 1 off the market in the U.S.

2           So, what I mean here is really there should have been  
3 an expectation of the possibility of a negative outcome.

4       Q.    Can I--I'm turning to Paragraph 85 of that second  
5 Affidavit.

6       A.    Okay.

7       Q.    The second sentence is, "the PMRA registered the  
8 products"--now, this is in reference to replacement--lindane  
9 replacement products related to the voluntary withdrawal.

10      A.    Yes.

11      Q.    "The PMRA registered the products that were put before  
12 it by Chemtura as lindane replacement products." To clear up  
13 one area of confusion sown by the Claimant, there were two  
14 categories of products under consideration as of November '98:  
15 "Lindane-free products, i.e., products that had originally  
16 contained lindane but that registrants were re-submitting for  
17 approval with the lindane simply removed."

18      A.    Yeah, and this is where that change that was made  
19 right at the beginning should read fungicide-only products.

20      Q.    Right.

21           And the second bullet, "lindane replacement products,  
22 products that contained an active insecticide other than  
23 lindane; for example, imidacloprid and Gaucho, thiamethoxim and  
24 Helix."

25      A.    Yes.

12:35 1 Q. I wanted to--to go into the approval process for Helix  
2 a little bit, and in order to do so though, I need to have a  
3 letter from Ms. Franklin's statement put in front of you. It's  
4 in the Joint Hearing Bundle at Tab 57 of Volume 2. It's also  
5 in Ms. Franklin's statement at CF-25.

6 A. Right.

7 Yes, I have it.

8 Q. All right.

9 Did you write this letter?

10 A. No.

11 Q. Oh, all right.

12 Are you--have you seen it before?

13 A. Yes.

14 Q. Oh, okay.

15 I'm looking at the--this is dated November 18, 1998,  
16 so it's, you know, at around the time of certainly discussions  
17 about the Voluntary Withdrawal Agreement. And at the fourth  
18 paragraph it says, "the Novartis seed dressing Helix, which is  
19 an alternative for lindane in canola, and the associated OP  
20 replacement opportunities around the new insecticide active  
21 ingredient thiamethoxim, which is a component of Helix,  
22 represents the next level of advancement. You are probably  
23 aware that our respective staffs have been meeting with  
24 Novartis U.S. and Canadian representatives over several months.  
25 The cooperative outcome has been harmonized submissions in both

12:37 1 countries covering Helix as a replacement"--I'm sorry--"a  
2 lindane replacement for Canola, thiamethoxim as an OP  
3 replacement for a wide range of agricultural uses as well as  
4 turf nursery applications. There has been a great deal of  
5 consultation and planning invested in this initiative. The  
6 objective is harmonized registration decisions for Helix and  
7 thiamethoxim in a timely fashion, i.e.,  
8 December 1999-January 2000. Clearly, this is an ambitious  
9 objective with tremendous positive potential which merits our  
10 full report--support," sorry.

11 Just, if you could help me understand what OP  
12 replacement--

13 A. Organophosphate and organophosphorus pesticides that  
14 comes under scrutiny because of the Food Quality Protection Act  
15 that came in the U.S. in 1997, which required all pesticides  
16 with a common mode of action to be assessed together. And  
17 they're--you know, in Canada we only had maybe 27 OPs, but in  
18 U.S. they had substantially more.

19 And it was a much more complicated Risk Assessment  
20 because normally we assess one pesticide at a time, and the  
21 resulting Risk Assessment relates to that one but the  
22 organophosphorous pesticides were considered to have the same  
23 mode of action. Therefore, the Risk Assessment had to add up  
24 each individual Risk Assessment so that if you had 40 different  
25 OPs, you had to actually add up the Risk Assessment. And in

12:39 1 order for those to remain registered, they had to come in at an  
2 acceptable risk with all of them.

3 Q. Of course.

4 A. So, it was a huge concern, and the chances of all 40  
5 or 50 products that were registered in a particular country to  
6 actually be able to maintain the registration because you're  
7 adding everything up was very unlikely, so the U.S., at that  
8 point in time, was really scrambling to look for alternatives  
9 to these products that are fairly--very important in  
10 agriculture. So that's what an OP is, and that's why it's of  
11 importance and of--why it's mentioned.

12 And it's the same in Canada, we were about a year or  
13 two behind, but essentially the new Pest Control Products Act  
14 that is now in place also lays out the same approaches as was  
15 done in 1997 in the FQPA.

16 Q. Is it typical of the PMRA and/or EPA to work like  
17 this--great deal of consultation, our respective staffs have  
18 been meeting with Novartis U.S. and Canadian representatives  
19 over several months. Is that typical of the agencies to settle  
20 on a producer like that and work intensively with them and  
21 accelerate in a timely fashion anyway, and it seems  
22 extraordinarily fast to me, even.

23 A. Well since the North American Free Trade Agreement was  
24 put in place in 1995, under that NAFTA banner, the technical  
25 work on pesticides was created with the specific mandate, if

12:40 1 you will, to remove non-tariff trade barriers, but also to work  
2 together on health and environmental Risk Assessments, really  
3 to try and harmonize our regulatory systems.

4           And in 1996, we developed a process called a Joint  
5 Review, so the first policy paper was out in 1996, that said,  
6 "if a Registrant would submit to the U.S. and Canada, at the  
7 same time, with the same data, for the same uses a particular  
8 pesticide, then those two countries would work together and  
9 work towards a registration decision in 12 months. That 12  
10 months was after we had all of the data. So, that had been in  
11 place in 1996 for new active ingredients that came in jointly.  
12 And as time went on, we learned a lot more about working  
13 together, and several other policy papers were written that  
14 accommodated different types of Joint Reviews and work-shares  
15 and so on.

16           But I think it's important to recognize that with an  
17 organization like PMRA, which was maybe 500 people at the time  
18 and EPA at somewhere around a thousand people that making sure  
19 that all of the appropriate pieces in each organization, and I  
20 mean people, are actually talking to each other, agreeing on  
21 what steps they're going to take is a fairly onerous task.

22           And so, for all of the Joint Review type of work,  
23 whether it's work-share or Joint Review, there is huge  
24 administrative or management piece, because even in a single  
25 organization, to have a team of people working on a pesticide

12:42 1 Assessment, it takes a lot of coordination, and you can well  
2 imagine that between two countries it takes a lot of  
3 coordination.

4 Q. I can understand that.

5 A. So, this is not uncommon, and products had been worked  
6 on before Helix in this manner, and this is new pesticides, but  
7 old pesticides also. There was a lot of interaction, I guess  
8 2,4-D would be a good example where the U.S. and Canada work  
9 very closely together, and it takes--it takes this kind of  
10 interaction, yes. So, presumably that answers your question.

11 Q. I don't know. I have forgotten it.

12 (Laughter.)

13 A. Sorry, I can go on and on, if you like. Do you want  
14 more?

15 Just take it from me that there is a huge management  
16 component to not only working within a regulatory organization,  
17 but working across regulatory organizations.

18 Q. The--it would seem to me that all of that immense  
19 amount of coordination and cooperation and work adopted by the  
20 two agencies in favor of a single company for a replacement  
21 product would give it a tremendous advantage in the market, not  
22 only accelerating the rival of it but singling it out for this  
23 extraordinarily expensive and time-consuming treatment.

24 A. Yeah, but I think my point is that--

25 Q. It would be not in consonant with your equal treatment

12:44 1 policy that you described earlier.

2 A. Well, I beg to differ. This approach had been in  
3 place since 1996 with an ever increasing number of products  
4 being submitted by Registrants of. You have to remember that  
5 Registrants have to submit these products and have to request a  
6 joint approach. And so, it's really up to the Registrant, to  
7 the companies.

8 So, for example, DuPont could come to us and say, "I  
9 have a product that I want to submit to the U.S. and Canada,"  
10 and then we would work with them, and the U.S. would, too. So,  
11 it wasn't as if we picked the companies. The companies had to  
12 submit to us. This was not something that was specific to  
13 lindane. This was something we had been doing since 1996.

14 With respect to the re-evaluation of lindane, we would  
15 have put this same kind of effort that you're reading here into  
16 the re-evaluation of lindane. We would have put the same kind  
17 of effort into--that you're reading here--into the  
18 re-evaluation of 2,4-D, working jointly with the U.S. and  
19 Canada.

20 I mean, what I'm trying to say is that the issue that  
21 it happened to be a lindane replacement product, and, you know,  
22 Novartis provided it to us. It could have easily have been  
23 another company completely outside the Voluntary Agreement that  
24 provided this particular--well, a product like it.

25 So, I guess I just don't agree with your analysis that

12:46 1 somehow EPA and PMRA treated this particular company specially.  
2 We didn't. This is what we had been doing since 1996, and  
3 certainly they're continuing to this day. And now, in fact,  
4 they're doing five country Joint Reviews, which are  
5 substantially more complicated than what you see here.

6 (Pause.)

7 Q. On the--I'm on the same--on the same issue about the  
8 submission of--I'm sorry, about the Novartis seed treatment  
9 Helix and the interagency cooperation on expediting its  
10 registration. The cooperation that's referred to in that  
11 letter, that's in relation to evaluating the submission, or is  
12 it in relation to preparing the submission?

13 A. It's both, because you just can't drop a submission in  
14 two countries and expect the countries to work together.

15 Q. Since the--

16 A. So, it's both.

17 Q. Well, no, but--

18 A. And it would be the case with the re-evaluation of  
19 lindane or the evaluation of any new product if you're doing it  
20 jointly. You have to do a lot of preparation work.

21 Q. Do you recall when the Helix submission was submitted?

22 A. No, I don't. I'm sorry.

23 Q. This letter is dated November '98, so if the  
24 submission went in after that, then the letter would have been  
25 discussing not the evaluation of the submission, in fact, but

12:48 1 the preparation of it.

2 A. Yeah, and frankly I just don't have that date in my  
3 head, so I don't know if it was submitted at that point.

4 Q. Your counsel can confirm whether or not I'm correct,  
5 but it's in the record it's stated as 25th of November, so the  
6 submission of the Helix for registration submission post-dated  
7 this letter.

8 A. Okay, well that's useful for me to know, but  
9 essentially then that more or less talks about work that leads  
10 up to any of these kinds of things.

11 Q. Thank you very much.

12 A. Thank you.

13 MR. SOMERS: Thank you, Madam Chair.

14 PRESIDENT KAUFMANN-KOHLER: Thank you. Do you have  
15 direct questions, and if so, do you have an estimate of how  
16 long they would take?

17 MR. DOUAIRE de BONDY: Madam Chair--

18 PRESIDENT KAUFMANN-KOHLER: Take them now or after the  
19 break? That's the question.

20 MR. DOUAIRE de BONDY: I think it would be preferable  
21 if we take them after the break, also to give Ms. Sexsmith a  
22 bit of a rest, but--because I think I might be about--might  
23 take about a half of an hour.

24 PRESIDENT KAUFMANN-KOHLER: Then it is better that we  
25 take it after the break, and--

12:50 1           THE WITNESS:  If you're sure, because I'm okay.  You  
2 know if you're pressed--

3           PRESIDENT KAUFMANN-KOHLER:  We may have questions,  
4 too, and then it may be too long.

5           You still don't--please don't speak about your  
6 testimony during the lunch break.

7           THE WITNESS:  Sure.

8           PRESIDENT KAUFMANN-KOHLER:  Fine.

9           Then we take one hour break, and we will see each  
10 other thereafter.

11           (Whereupon, at 12:50 p.m., the hearing was adjourned  
12 until 1:50 p.m., the same day.)

13

14

15

16

17

18

19

20

21

22

23

24

25

1 AFTERNOON SESSION

2 PRESIDENT KAUFMANN-KOHLER: Are we ready to start  
3 again? Yes, yes?

4 Oh, no, it's your turn. Sorry about that.

5 MR. DOUAIRE de BONDY: Thank you, Madam Chair. Are we  
6 ready?

7 REDIRECT EXAMINATION

8 BY MR. DOUAIRE de BONDY:

9 Q. I have about eight points that I'm going to go to,  
10 which might seem long, but I think that the first several will  
11 be fairly brief.

12 The first thing I'd like you to do, Ms. Sexsmith, is  
13 to turn to Annex R-75. That's Canada's Annexes R-75. It's the  
14 Federal Court annexes. This isn't in the Joint Hearing Bundle.  
15 We didn't think we'd need to refer to it here.

16 But if you recall before the break, we were talking  
17 about the period in late 2001, early 2002, after Canada  
18 had--the PMRA had reached a finding of unacceptable health risk  
19 in the Special Review, and Canada had offered a phase-out  
20 period to all remaining Registrants. This was for the  
21 non-canola Lindane Products, and that the Claimant wrote back  
22 in late February and refused, and Professor Crawford's question  
23 had been: What was Chemtura's response? Did they send you a  
24 letter and whatnot? And you weren't able to think of any  
25 letter.

13:52 1 I just wondered if you would look at this document  
2 R-75 in that bundle before you. And as you can see, it's a  
3 notice of application in the Federal Court. If you could turn  
4 to Page 4 of the document, and look at points (d) and (e) of  
5 the document. You can take your time.

6 So, is it fair--oh, sorry.

7 A. Yes, I have reviewed it.

8 Q. All right. So, is it fair to say this was the  
9 Claimant's response to the notice that the PMRA sent to  
10 you--sent out to them in February of 2002?

11 A. Yes.

12 Q. And the date of the document is actually on the last  
13 page, Page 6.

14 A. Yes.

15 Q. So, that's March 14, 2002.

16 So, is it fair to say that the PMRA's--the response  
17 PMRA heard from Chemtura is that they were pursued in Federal  
18 Court with regard to this refusal by Chemtura--with regard to  
19 the suspension of their remaining registrations?

20 A. Can you repeat that?

21 Q. Is it fair to say, based on this document, that the  
22 Chemtura's response to the suspension of the remaining  
23 registrations was to begin an action, an application in Federal  
24 Court?

25 A. Yes.

13:54 1 Q. Thank you. That was all.

2 My second point on this is--the second point on my  
3 list is just a quick question with regard to the default MRL in  
4 Canada. As I understand it, the U.S. has a zero tolerance  
5 approach if there is no--if there is no residue limit, there is  
6 no residue limit, whereas Canada has a different regime. Can  
7 you speak to that for a moment.

8 A. Yes. In Canada, for many years now there has been  
9 something that's called a "default MRL."

10 And just to back up a little bit, when pesticides are  
11 used on food, a residue limit of that particular pesticide  
12 needs to be established, and so that is done through a  
13 scientific review of the data, and normally the tolerance is  
14 set. But for many years, any tolerance that might be under  
15 point one was not explicitly set but was just set at point one.

16 And in addition, because there was this default of  
17 point one, produce with pesticides used on it from other  
18 countries, if in fact they felt or knew that the residues were  
19 likely to be under point one, they did not have to apply to  
20 Canada for an import tolerance or import maximum residue limit.  
21 That's very different than the regime in the U.S., where in any  
22 case importing--importers of produce into the U.S. would have  
23 to have applied for and received essentially a maximum residue  
24 tolerance for that particular product, be it bananas or apples  
25 or whatever.

13:56 1           So, there was a great deal of difference between the  
2 two regulatory regimes in that regard.

3       Q.   Thank you.  That's fine.

4           The next point relates to a document which is in Lynn  
5 Goldman's Second Report, Volume 2 of 2, Tab 58.  It's a chain  
6 of e-mails of April 19--around April 1999.  It's in the Joint  
7 Hearing Bundle at 90.

8       A.   So, where is it?

9       Q.   Lynn Goldman is Tab 58.

10      A.   Okay.  I think I have it.

11      Q.   All right.  Before the break--before lunch, rather,  
12 you were mentioning--Mr. Somers was bringing you to certain  
13 statements in your Affidavit where you were talking about the  
14 Claimant's expectations about the outcome of the review, and  
15 you suggested that there were documents you had seen that were  
16 internal to Chemtura that reflected a different expectation  
17 than what Chemtura seems to be asserting.

18           If you look at the bottom of this page, you can see  
19 there is a line that says author.  Who is the author there?  
20 It's just the last little bit on the first page.

21      A.   Rick Turner at Gustafson is the author.

22      Q.   So, I mean the bit below, where it says reply  
23 separator author.  Just right at the very bottom.

24      A.   Oh, Mr. Ingulli is the author.

25      Q.   And what's the date there?

13:58 1 A. It's the 19th of April '99.

2 Q. Right. I just wanted to turn you to the next page,  
3 and it's just the last part of this comment from Mr. Ingulli.  
4 He's writing here. If you look in the middle of the second  
5 paragraph, it starts, "A wild card." "A wild card in all of  
6 this is that PMRA has initiated a Lindane Special Review to be  
7 completed at the end of 2000. This could spell an end to  
8 lindane regardless of what we decide to do."

9 And it goes on to say, "If I had to guess, lindane  
10 probably will be eventually be gone, but I don't think that you  
11 should use this argument just yet to resolve your discharge  
12 problem."

13 Was this the comment that you're referring to earlier?

14 A. Yes, that was certainly one of them.

15 Q. All right. Thank you.

16 Okay, the next point is arising in your first  
17 Affidavit, so if you could just pick up your first Affidavit.

18 I just wanted to clarify something. If you could turn  
19 to the tab that's at WS-17, or Wendy Sexsmith 17.

20 A. Yes.

21 Q. Now, this is the letter of November 26, 1998. And as  
22 you can see, it says Registrants of seed treatments containing  
23 lindane and other meeting participants agreed to the  
24 following," and the second point is, "All commercial stocks of  
25 products containing lindane for use on canola and

14:00 1 lindane-treated canola seed cannot be used after July 1st,  
2 2001."

3           So, that was your understanding of the date that had  
4 been reached at that meeting?

5           A. That's correct.

6           Q. Okay. Could we just turn to Paragraph 119 of your  
7 Affidavit. This is within your first Affidavit again. Are you  
8 there?

9           A. Yes, I'm there.

10          Q. Okay, great.

11           So I'm just looking at it's the second, third  
12 sentence, the third sentence in that Paragraph 119, and it  
13 says, "The PMRA exercised its discretion under the Act not to  
14 strictly enforce new labeling (excluding canola) until," and it  
15 says until January 1st, 2001.

16           Is that accurate? I'm not sure.

17          A. I don't think so. It should really say June--July.

18          Q. Just to clarify, did the PMRA begin enforcing,  
19 strictly enforcing the new labeling before July 1st, 2001?

20          A. No, it did not strictly enforce at that point in time.

21          Q. All right. Thank you.

22           So, this might have been a correction to add at the  
23 beginning that we forgot?

24          A. Um-hmm.

25          Q. All right, thank you.

14:01 1 I'm still in your first Affidavit, and I just wanted  
2 to go to Exhibit WS-40. This is something that Mr. Somers  
3 turned you to. It's this letter of October 27, 1999, from  
4 Mr. Ingulli again.

5 Just before we turn to the second page, I wanted to  
6 turn back to the first page and look at that third condition.  
7 "In the event that both Government agencies determine that  
8 lindane has adverse toxicological effects and deem it unsafe  
9 for use on canola, Uniroyal will not request the reinstatement  
10 of lindane use on canola in Canada."

11 I just wanted to be clear. Does this mean that the  
12 PMRA would not permit the reinstatement of the canola use for  
13 lindane if the Special Review result came out with a negative  
14 result?

15 A. Yes, that's what it means.

16 Q. Okay. So, that was one of the conditions.

17 Now, let's just turn to the next page. This  
18 Section 4--

19 A. Yes, I'm there.

20 Q. I'm just wondering, if you could--if we go back to  
21 October under this October 1999, the Special Review has just  
22 started. It started March 15 or at least publicly launched  
23 that date. I'm just wondering if you could imagine a situation  
24 where the ongoing tolerance application in the United States or  
25 a tolerance application in the U.S. could have been granted

14:03 1 before the end of the Special Review.

2 Was that at least feasible?

3 A. You mean on the part of EPA?

4 Q. Yeah. I mean, there could have been a situation  
5 where, for example, PMRA Special Review is ongoing, but the EPA  
6 had granted a tolerance, at least--

7 A. Yeah, that was a feasible scenario. Certainly in the  
8 early part of, you know the Special Review that is possible  
9 that it could have happened. It did not.

10 Q. So, could this Paragraph 4 be referring to that  
11 situation?

12 A. That's my understanding, yes.

13 Q. All right.

14 A. And none of those events occurred.

15 Q. All right. But just to be clear, this paragraph isn't  
16 saying that the determination of whether canola can be used,  
17 lindane can be used on canola is dependent solely on the  
18 outcome of an EPA tolerance application?

19 A. No.

20 Q. Thank you. All right. We are moving along, halfway  
21 down the list.

22 The other thing I wanted to bring you to was  
23 Mr. Somers brought you to Exhibit Wendy Sexsmith 99, which is  
24 still in your--no, it's in your second Affidavit, actually.

25 All right. Again, Mr. Somers was more interested in

14:05 1 Page 2. I'm interested in Page 1. Now, you mentioned that the  
2 concern of the canola growers went beyond the use of lindane on  
3 seed as treated seed, but also to lindane residues, and I just  
4 wanted to clarify, if you look on the first page, that first  
5 paragraph, "Dear Wendy"--this is JoAnne Buth writing to you on  
6 November 20, 2001. "Dear Wendy, As you're fully aware, in  
7 1998, use of lindane was identified as a trade irritant of the  
8 U.S.--when the Environmental Protection Agency informed us that  
9 the importation of lindane-treated seed into the U.S. for  
10 planting was illegal. They further stated that since a  
11 tolerance was not established for lindane on canola, that the  
12 crop grown from lindane-treated seed could not be legally  
13 imported into the U.S. This position clearly threatened the  
14 export of canola seed, oil, and meal into the U.S." And she  
15 mentions the value of that market of approximately 500 million  
16 annually.

17 PRESIDENT KAUFMANN-KOHLER: Mr. Bondy, afterwards, you  
18 should check the transcript because part of the quotation is  
19 not reproduced. We don't need to do this now. For the  
20 question it's clear. It's just the transcript.

21 MR. DOUAIRE de BONDY: All right.

22 BY MR. DOUAIRE de BONDY:

23 Q. And I just wanted to turn to--and so--JoAnne Buth as  
24 of number one says, "In 1998, we did not know the likelihood of  
25 detecting residue in canola seed oil and meal," and then if we

14:07 1 turn to the next page at point four, we turn to the second  
2 paragraph there. She's talking about, "by having canola grown  
3 from lindane-treated seed delivered to the country elevators,  
4 exports of oil and meal from canola grown from lindane-treated  
5 seed to the U.S. would be minimized."

6 And so, I'm just wondering if you could clarify that  
7 the concern of the canola growers was the canola produced from  
8 lindane-treated seed, and the residues in that canola, I think  
9 there was some confusion about the fact that the U.S. in 1998  
10 actually did ban the entrance of lindane-treated canola seed,  
11 and the issue seemed to be the crop that was grown from that  
12 seed; is that correct?

13 A. Well, I guess as I mentioned previously, certainly the  
14 canola growers had concerns both about the seed and the  
15 products of canola, and that's the oil and the meal. What  
16 JoAnne was doing here was essentially providing the PMRA with  
17 information that would show us that if the treated seed was  
18 going to be used in 2002--and this is the leftover treated seed  
19 after the Agreement date, which was July 1, 2001, that if, in  
20 fact, the seed was used, that there would be no product of  
21 lindane-treated seed moving into the U.S. So, that was the  
22 point of what she was saying, and so I think by that certainly  
23 it indicates the continuing concern about oil and meal related  
24 to potential residues from lindane.

25 Q. All right. Thank you.

14:09 1           The--I think it's about the third last point I wanted  
2 to go to concerned the ROU. This is listed at WS-18 of your  
3 first Affidavit. And if we go to page--I think it's about five  
4 pages in, the bottom of the page under Pest Control Products,  
5 that section we were looking at which begins EPA and PMRA will  
6 investigate--no, sorry, "Canadian canola growers have requested  
7 Canadian Registrants to agree voluntarily to remove  
8 canola/rapeseed," and so on. So, that's the passage.

9       A.    Yes, I have it.

10       Q.    Okay. Now, we talked about this as a sign that EPA  
11 was willing to refrain from enforcing its legislation during a  
12 three-year period. This was after the EPA's attention had been  
13 directly drawn to the presence of lindane on Canadian canola.

14           As a regulator, would you expect the EPA to expressly  
15 state that they would not enforce their legislation during that  
16 period?

17       A.    No, that's a very difficult thing for a regulator to  
18 explicitly talk about, so this was a different way of publicly  
19 stating the issue and the Agreement with the issue.

20       Q.    Okay. And we've emphasized what EPA was undertaking  
21 in this--in relation to this comment in the ROU. What would  
22 have happened if one of the members of the Voluntary Withdrawal  
23 Agreement pulled out after December? Did either Agency have  
24 any way to enforce the reference to a Voluntary Agreement here?

25       A.    No.

14:11 1 Q. And did either Agency have any vested interest in the  
2 voluntary--in this agreement as set out here?

3 A. No.

4 Q. So, at whose request was this reference included in  
5 the ROU?

6 A. It was done in consultation with the canola growers,  
7 so, in fact, we would have asked the canola growers if they  
8 thought this was a useful opportunity to put--to put this in,  
9 and so it would have worked that way because clearly it was a  
10 grower-driven initiative, not ours, but agreement by the two  
11 Governments was also important.

12 Q. And that was agreement in the sense of refraining from  
13 strictly imposing the terms of the legislation in light of this  
14 phase-out?

15 A. That's right, plus the technical aspects of removing  
16 things from labels.

17 Q. And that was what the PMRA was doing?

18 A. Yes.

19 Q. Okay. Thank you.

20 So, I'm on my last two points. The second last one is  
21 this document that you have been brought to which is in the  
22 Joint Hearing Bundle. It's at document 41. And again I wanted  
23 to draw your attention--Mr. Somers brought you this. I wanted  
24 to bring your attention to a part that we hadn't actually  
25 discussed just earlier. He brought your attention to this

14:13 1 line, commitment between EPA and PMRA to work together to phase  
2 out uses of lindane, and you commented on your understanding of  
3 the meaning.

4 I also wondered if you could comment on that in  
5 relation to the two sentences in Paragraph 2 of the same  
6 document where it says, "PMRA is not in a position to recommend  
7 such action, unless there was agreement for concerted action on  
8 all lindane products with the U.S. EPA. The consideration of  
9 lindane as a candidate for a North American Regional Action  
10 Plan under the CEC was identified as one mechanism for this  
11 cooperative action."

12 What are they talking about with that last sentence?

13 A. The--when NAFTA--when the North American Free Trade  
14 Agreement was put in place in 1995, there was a side agreement  
15 developed under the banner of the Commission for Environmental  
16 Cooperation; and under that Commission, there were a number of  
17 processes developed to work on issues of concern. One of those  
18 processes was called the North American Regional Action Plan,  
19 the NARAPs, and essentially that process was really in place so  
20 that all three countries could work together on an issue and  
21 through consultation and so on, develop an approach to deal  
22 with that particular issue.

23 So, the sentence there relates to one approach to  
24 working together on lindane could be under the Commission for  
25 Environmental Cooperation, the side agreement to NAFTA, using

14:15 1 one of these processes, and in this case it was the NARAP. So,  
2 it was really just--what we are talking about here is if we are  
3 going to work on lindane, let's work on it together because it  
4 impacts, you know, our growers and under our commitment to  
5 harmonize and reduce regulatory barriers as appropriate. We  
6 are really interested in moving forward together whatever  
7 direction it was, and the suggestion here was that one possible  
8 process would be the North American Regional Action Plan, but  
9 that would include Mexico, of course.

10 Q. Right.

11 And when something is proposed for a NARAP, does that  
12 necessarily mean that some kind of summary or quick suspension  
13 of the product in question will result?

14 A. No. A NARAP process is a very long process because it  
15 requires in this case three countries to gather up all their  
16 information, to carry out detailed consultations within each of  
17 the countries, and they're typically five to six to seven years  
18 long because of the logistics, but also the process.

19 Q. Okay, thank you.

20 Just another bit in this document, I see under the  
21 part that was interesting to Mr. Somers a commitment between  
22 EPA. There is another commitment, a commitment between EPA and  
23 PMRA to work together on a harmonized policy for movement of  
24 treated seeds, and here they have a date of December 1999.

25 So, you had talked earlier about harmonization. Is

14:17 1 that--what's being--what sort of action is being referenced  
2 here?

3 A. Well, the issue there really, while it resulted from  
4 the lindane issue in part, it also relates to the ongoing issue  
5 of countries not being exactly clear about what's required with  
6 regards to moving treated seeds from one country to another,  
7 and in this particular instance, the EPA had already issued a  
8 draft notice on the movement of treated seed, and certainly  
9 Canadian stakeholders were very concerned that if a final  
10 notice on the movement of treated seed was completed in the  
11 U.S., that would certainly foreclose in all likelihood any  
12 ability to have a harmonized policy.

13 So what ultimately was agreed to is talked about here,  
14 and stakeholders on both sides of the border were very  
15 interested in this, and that was to work together to develop a  
16 policy that would work for both countries on the movement of  
17 treated seed, and that would essentially be to clarify what the  
18 data requirements are, but also what the compliance practices  
19 would be. Certainly in both countries, you know, the tendency  
20 was to be a little vague on seed treatments.

21 So, this was a substantial--this was a very positive  
22 thing to get EPA to agree to work with Canada on the  
23 development of this policy.

24 Q. Okay. Thank you for that.

25 And I see that the document is titled "Lindane Seed

14:19 1 Treatment/Update," and it talks about a variety of potential  
2 agreements between PMRA and EPA in relation to the Voluntary  
3 Withdrawal Agreement, which is mentioned here.

4 Did the U.S. EPA and PMRA see this as a  
5 lindane-specific agreement? In the sense that, you know, were  
6 they merely focused on lindane in this agreement or potential  
7 agreement?

8 A. Well, it was broader than that in that. I mean, it  
9 was focused on lindane, but it was also broader than that  
10 because it talked about the PMRA notice for treated seeds and  
11 the Agreement to develop a draft or a harmonized policy on  
12 treated seed.

13 If I can have a look here, you know, but I think it's  
14 fair to say the focus was predominantly on lindane with the  
15 exception of the linked activity around the Regulation of the  
16 movement of treated seeds.

17 Q. And with regard to harmonization, was the  
18 harmonization about lindane or was the harmonization a broader  
19 issue?

20 A. It was a broader issue.

21 Q. So that would--because if there is lindane on a  
22 treated--on a seed, there might be many other products on seeds  
23 as well?

24 A. Yes.

25 Q. And there might be products that don't have

14:21 1 registrations or tolerances on both sides of the border?

2 A. That's right.

3 Q. So, is it--would it be in the interest of U.S. EPA and  
4 PMRA to work on a more general policy?

5 A. Yes.

6 Q. And so, was that something that U.S. EPA and PMRA were  
7 interested in at the time?

8 A. Yes, very much so.

9 Q. All right. And that's reflected in this document?

10 A. That's right.

11 Q. All right.

12 The last thing I would like to bring you to is a  
13 document which is actually in Claire Franklin's second  
14 Affidavit. It's CF-22.

15 You mentioned NAFTA on several occasions now, and I  
16 think you mentioned a Technical Working Group on Pesticides.  
17 Are you familiar with this document, which is Exhibit CF-22?  
18 It looks like it's dated September 2001.

19 A. Yes, I'm very familiar with it.

20 Q. Okay. Can you describe what this document is.

21 A. Yes. I think I mentioned in earlier testimony that  
22 the Technical Working Group on Pesticides was set up under  
23 NAFTA in 1995 when NAFTA was put in place, and really the  
24 purpose of the Technical Working Group, as I stated earlier,  
25 was to work on harmonizing the regulatory approaches in both

14:22 1 countries, really to level the playing field for the growers,  
2 remove inappropriate regulatory barriers. And for Canada  
3 particularly, we were very interested in seeing new products  
4 come to Canada sooner than they would have originally, and by  
5 that I mean because Canada has such a small market share in the  
6 world related to pesticides, as many other things, we are only  
7 2 percent of the world market.

8           So, in most cases, companies would come to Canada five  
9 to six years after the products had been registered in the  
10 U.S., and it was certainly obvious to growers in Canada that  
11 this presented huge difficulties. And, in fact, when--PMRA is  
12 a relatively new organization. It also was set up in 1995, and  
13 leading up to that there was a substantial consultation across  
14 Canada. And so, when PMRA was set up, one aspect of its  
15 mandate was in fact to harmonize regulatory approaches between  
16 the U.S. and Canada.

17           So, anyway, in order to do that, the Technical Working  
18 Group was set up, and this report talks about the  
19 accomplishments over the last several years, and it allowed the  
20 public at large and our stakeholders to really understand what  
21 had been done and to help provide input into what directions we  
22 should be taking in the future.

23           Q. All right. Why don't we turn to page--thank you for  
24 that--the first page of this document--sorry, not the first  
25 page physically, but Page 1. It's starting, "Introduction:

14:24 1 Looking Beyond Borders." There is a reference at the second  
2 paragraph to CUSTA, the U.S. Canadian Government Center to do  
3 free trade agreement in 1998, realized the differences in their  
4 regulatory structures and requirements could inhibit trade.  
5 For example, differing tolerances, maximum pesticide residue  
6 limits on food products could prevent farmers growing the same  
7 crops in the same geographic region from using the same  
8 pesticides. And this says it's led to the establishment of a  
9 pesticide working group whose task it was to find ways of  
10 alleviating trade barriers posed by such regulatory differences  
11 without compromising public health and environmental standards,  
12 and they talked about this being pursued under the NAFTA.

13           So, was it unusual for the PMRA to be involved in  
14 trade-related issues relating to pesticides at this level of  
15 harmonization--

16           A. I--I'm sorry.

17           Q. Go ahead.

18           A. Okay. No, it really wasn't unusual. I've been  
19 involved in sort of pesticide regulation for most, if not all  
20 of my career, and I certainly haven't been involved in any  
21 decision, regulatory decision, in isolation of its context.

22           And what I mean by that is while trade issues are not  
23 explicitly or economic issues aren't explicitly under our  
24 legislation part of the decision-making process, there is no  
25 way that any decision is made without the knowledge of that

14:26 1 context.

2           And, for example, apples are grown in Canada. Apples  
3 are grown in the U.S. If a pesticide company comes to the U.S.  
4 six years before it comes to Canada, then our apple growers  
5 feel that they are severely restricted in the types of products  
6 that they can use, and, you know, it's certainly not uncommon  
7 for them to raise those kinds of issues because if they can't  
8 move their apples from Canada to the U.S. because some of the  
9 factories in the U.S. say that they're only going to allow the  
10 use of this type of product registered in the U.S. to be used  
11 on the apples they are going to buy from Canada, then that  
12 becomes a trade issue, and certainly the apple growers will  
13 raise it.

14           So, while our mandate is to protect health and the  
15 environment really for the benefit of Canadians, any decision  
16 making needs to understand the context in which those  
17 pesticides are being used, and that can and does include trade  
18 issues.

19           And, in fact, when pesticides are used on food, as I  
20 said before, a maximum residue limit has to be established.  
21 And before those--before those limits are formally established,  
22 Canada or we, in fact, have to alert all of our trading  
23 partners to this level and give them a chance to comment on  
24 this level before it is formally set in law.

25           So, everything practically that we do touches on

14:28 1 trade, particularly with agriculture and forestry products,  
2 even.

3 Q. Now, Ms. Sexsmith, does this mean that in the context  
4 of reviewing any particular pesticide for a re-evaluation, for  
5 example, that the outcome of that review is going to be  
6 dictated by, for example, getting rid of a trade irritant?

7 A. No. It would be dictated by the results of the  
8 scientific review. But it was certainly in our best interest  
9 to work closely with other organizations so that we could  
10 benefit from their knowledge and their reviews with the proviso  
11 always that countries are sovereign and are going to make their  
12 own decisions.

13 Q. And that's what happened in the case of U.S. EPA  
14 versus the PMRA, at least initially with regard to lindane?

15 A. Yes. While we made every effort to work together, and  
16 in the early days of the--leading up to the Agreement,  
17 Voluntary Agreement and so on, it looked like we could stay  
18 on--on target together, but--

19 Q. This is in the context of your scientific review?

20 A. That's right, yeah, in the context of the  
21 re-evaluation/re-registration of lindane.

22 But as time went on, other pressures came about in the  
23 U.S., and their priorities shifted because of those pressures,  
24 and so we felt that in Canada we still needed to move forward  
25 with our review of lindane, and so that's really what caused

14:30 1 the separation there, but we still maintained a close link as  
2 far as sharing information.

3 Q. So, despite the efforts at harmonization in the sense  
4 of working together in a workshare in the context of the  
5 lindane review, at the end of the day both agencies are going  
6 to apply their own scientific standards and policies?

7 A. Yes, and that's the same with whether it's a new  
8 product or an old product. One of the intents, though, of the  
9 North American initiative and the NAFTA Pesticide Working Group  
10 was to try and get to a point where our standards and our  
11 approaches were more and more similar.

12 So, I mean, one of the issues is if a pesticide is  
13 submitted to one country one day, and then six years later that  
14 very same pesticide is submitted to a different country, it's  
15 very possible that the information and knowledge that the world  
16 has about that particular chemical has changed has changed, so  
17 there is a huge benefit and if it's a new product to submit at  
18 the same time with the same data, so you're really doing the  
19 review under the same circumstance. And--but every country,  
20 even if you're doing it jointly, reserves the right to make  
21 their own decision. It's just the more we work together, the  
22 more likely it is that our decisions are going to be more and  
23 more similar.

24 Q. Thank you.

25 MR. DOUAIRE de BONDY: Those are my redirect.

14:32 1 PRESIDENT KAUFMANN-KOHLER: Thank you.

2 Does the Tribunal have questions?

3 We're going to ask questions. If you have something  
4 on recross, we will see afterwards.

5 Judge Brower.

6 QUESTIONS FROM THE TRIBUNAL

7 ARBITRATOR BROWER: Yes, thank you.

8 I think we all understand that what we are dealing  
9 with here is an inherent tension, which is at least  
10 hypothetical and to some extent real between the interests of  
11 free trade, meaning uniformity throughout the affected area on  
12 the one hand, and the respective national mandate to follow the  
13 science with respect to certain issues; namely, those dealt  
14 with by the PMRA and, to that extent, by the EPA. That leads  
15 me to ask the first of my questions.

16 There has been a cooperative mechanism involving the  
17 United States and Canada and later Mexico since about 1988; is  
18 that right?

19 THE WITNESS: Yeah, it would be U.S. and Canada since  
20 about '88, and then Mexico would have been included after NAFTA  
21 in the 1995 period.

22 ARBITRATOR BROWER: And I realize for the reasons that  
23 you've cited, there may be temporal differences between action,  
24 let's say, by your Agency and action by the EPA on a particular  
25 substance.

14:34 1 THE WITNESS: Um-hmm.

2 ARBITRATOR BROWER: The question I want to ask I think  
3 is best phrased as, are there or have there ever been  
4 persistent unresolved differences between EPA and PMRA on  
5 specific substances that just went on for years or some period  
6 and have never been resolved.

7 THE WITNESS: Well, I would just comment, first of  
8 all, generally about an unresolved issue, and I mentioned it  
9 earlier, and that really related to the fact that PRMA and  
10 Canada required efficacy data to be generated and submitted and  
11 reviewed. The U.S. did not require the data to be submitted  
12 and reviewed.

13 And so, certainly in my time that was still an issue  
14 that was unresolved as relates to the development of MRL levels  
15 because it relates to that, and also--

16 ARBITRATOR BROWER: MRL meaning?

17 THE WITNESS: Oh, sorry, maximum residue limits in  
18 food, and to rates. So because if you have efficacy data that  
19 you review, you can see what is the lowest but best rate. If  
20 you don't review efficacy data, you may not, in fact, see what  
21 the lowest but best rate is. So, that was one issue that we  
22 certainly had with the U.S. in my day because if we worked  
23 jointly together, we may in fact come out with different rates  
24 that we would like to see on the label, and so we had to work  
25 through that. So, that was one--that's one sort of specific

14:35 1 issue that broadly affected working together. It wasn't  
2 unresolvable, but it was something we had to deal with.

3 ARBITRATOR BROWER: Yes. Go ahead, please.

4 THE WITNESS: On the Risk Assessment side--I mean, I  
5 think it's important to understand that while we'd had a  
6 working group in place since 1988, I would say that it was much  
7 more active starting in the early nineties; and starting in the  
8 early nineties, essentially most countries in the world started  
9 to work together. The first big OECD meeting was held in the  
10 U.S. in 1992, and before that countries actually didn't work  
11 together very much, not on substantive things. So, I think  
12 it's important to put into perspective how long we've actually  
13 been working together.

14 So, if we really started looking at the nuts and bolts  
15 in '92 up to '95 and so on, there were lots of things that were  
16 different, and that could be the way we put our submission or  
17 had our submission put together. It could be the assumptions  
18 we made related to Risk Assessment. It could be cancer risk  
19 and how we looked at that.

20 So, without sort of avoiding your question but trying  
21 to set context, it was really through the NAFTA initiative that  
22 we started to peel away the differences and to bring both  
23 organizations closer and closer together, not only on the sort  
24 of administrative things, you know, like how or what a  
25 submission looks like, but also on these very scientifically

14:37 1 related issues.

2           So, I can't think of a specific issue, really, without  
3 revealing confidence, but what I can say is often in the Risk  
4 Assessment area there were differences, and particularly like a  
5 cancer risk or how a scientist read a particular study. But in  
6 the context of NAFTA, we did try to work through those and come  
7 up with a solution that both countries could live with.

8           ARBITRATOR BROWER: My question addressed itself to  
9 results and not to procedures, and I well understand the  
10 process by which various countries' authorities could through  
11 consultation come to more uniform procedures which make things,  
12 in a sense, a little fairer to the multinational Applicants and  
13 also to the collaboration of the agencies.

14           Parenthetically, does the EPA still not consider  
15 efficacy, and if so, is that because of a statutory  
16 restriction?

17           THE WITNESS: As far as I know, they don't consider  
18 efficacy, and it's not because of a statutory restriction.  
19 It's because, as I understand it, quite a number of years ago  
20 they decided that they wouldn't review efficacy. They would  
21 require the Registrants to generate it, and that it had to be  
22 there if EPA wanted it, but they would not routinely review it.  
23 So, it meets the statutory requirements, but it isn't something  
24 that they used their resources to review, and it was just a  
25 policy decision that they made.

14:39 1           ARBITRATOR BROWER: Right. Let me go back to my  
2 original question or what I think was my original question.

3           Has it ever happened that there have been different  
4 results, notwithstanding collaboration, between the EPA and the  
5 PMRA as regards either the registration of a specific pesticide  
6 or a chemical used in the pesticide and a difference that  
7 endured was never, despite all your cooperation, unable to be  
8 resolved to the same result in both countries? It may have  
9 taken a period of time, but...

10           THE WITNESS: Yeah. I mean, that's a rather big  
11 question, and I'm going to answer it sort of using two points,  
12 and one I will say that with our experience with Joint Reviews,  
13 we found that if the pesticide was submitted to both countries  
14 at the same time with the same data, and the two countries  
15 worked together, the decisions were the same or similar without  
16 barriers, so that's one because you really have to have that  
17 kind of--certainly in the early days, that back and forth, and  
18 everything has to be very much the same.

19           The sort of second part to my question will be  
20 absolutely, yes, there would be instances where there has been  
21 no resolution but not under the joint review or workshare type  
22 of process because that's where we are working together. But  
23 if a product had been submitted to the U.S. six years previous  
24 and then comes to Canada--and I'm just using that time frame  
25 six years loosely--it is very possible that it's registered in

14:42 1 the U.S. and then does not, for some scientific reason, get  
2 registered in Canada, you know. So, it's very possible.

3 ARBITRATOR BROWER: I understand. Let's go to the  
4 Joint Review process. Those substances which have been  
5 submitted to the Joint Review process which has been since--

6 THE WITNESS: '96, 1996.

7 ARBITRATOR BROWER: 1996, in other words under  
8 the--right, okay, 1996.

9 THE WITNESS: Right.

10 ARBITRATOR BROWER: In every case, the EPA and PMRA  
11 have arrived at the same--substantially the same result either  
12 to register or to reject.

13 THE WITNESS: Yes, for specific Joint Reviews, and  
14 that I mean by that is products that have been submitted at the  
15 same time with all the same data for the same uses because we  
16 also do a different type of collaboration called workshares,  
17 and that may be that the submissions come in at the same time  
18 with some uses in common, but other uses not in common. So, we  
19 work together nonetheless because there is a core piece that we  
20 can still work together on, but the decision--outcoming  
21 decisions may be different because what went in was slightly  
22 different.

23 ARBITRATOR BROWER: I understand that a submission  
24 made simultaneously with the same documentation to both may be  
25 different in the applications.

14:43 1 THE WITNESS: The uses might be different.

2 ARBITRATOR BROWER: Or use which they, so...

3 THE WITNESS: Yeah. Sorry, go ahead.

4 ARBITRATOR BROWER: But in those cases all Joint  
5 Reviews have resulted either in all requested uses being  
6 approved, or all being rejected?

7 THE WITNESS: You know, this is going back a long way  
8 for me, but I mean, it's entirely possible that both countries  
9 agree that out of the 10 uses submitted, only these six can be  
10 approved, so it's quite possible that that happened in the very  
11 strict Joint Review context where we would agree completely.  
12 In the less strict Joint Review context, it's very possible  
13 that some uses both countries agree with, and then other uses  
14 one country says yes to and the other country says no to, and  
15 some of that can relate to certainly at that time what our risk  
16 cup looked like and how we used drinking water in our Risk  
17 Assessment and so on.

18 So, even though we worked very closely together, we  
19 still had some specific Risk Assessment approaches to work out  
20 and make more and more similar.

21 So, it's quite possible that we could work together  
22 and still have somewhat different outcomes.

23 ARBITRATOR BROWER: Do you happen to recall any such  
24 cases?

25 THE WITNESS: Yes.

14:45 1 ARBITRATOR BROWER: And they are...

2 THE WITNESS: Well, Helix, which is one you know about  
3 because it's been on the table, is one where both countries  
4 agreed to the canola use, but a number of other uses were not  
5 supported in Canada while they were supported in the U.S. at  
6 that point in time. Canada needed some additional data because  
7 of the way we did the risk cup in order for us to consider some  
8 additional uses.

9 ARBITRATOR BROWER: All right. But the risk cup, I  
10 take it, relates to particular conditions or aspects of use or  
11 of the makeup of the receiving environment let's say in  
12 Canada--

13 THE WITNESS: In part, that's right.

14 ARBITRATOR BROWER: --as opposed to the United States.

15 THE WITNESS: Yeah.

16 ARBITRATOR BROWER: It's not due to differences in the  
17 science.

18 THE WITNESS: Not necessarily, but it could be  
19 differences in some aspects of science related to how we gather  
20 drinking water data, for example.

21 ARBITRATOR BROWER: But under the workshare, that  
22 would not be, as an example, assigned to either the EPA or the  
23 PMRA to work up?

24 THE WITNESS: Yes, it could be, but they would still  
25 have to use the Canadian piece of the data.

14:47 1 ARBITRATOR BROWER: Separate.

2 THE WITNESS: Yeah, so it's still very possible. I  
3 mean, I'm a bit away from it now, and I presume things have  
4 evolved, but you know environmental conditions are different  
5 from one country to another, and so, you know, even with  
6 identical tools and approaches and data, it still is very  
7 possible that our Risk Assessment could be different.

8 ARBITRATOR BROWER: No, I understand that. That's  
9 because that's--

10 THE WITNESS: Environmental conditions.

11 ARBITRATOR BROWER: That's because of conditions  
12 extraneous to the substance itself.

13 THE WITNESS: Yeah, that's right.

14 ARBITRATOR BROWER: When you say the science never  
15 differs in these cases between the two agencies, I mean the  
16 basic Assessment, let's say, of toxicologist.

17 THE WITNESS: That's right. It may not differ, but  
18 if, in fact, where the product is going to be used in Canada is  
19 very sandy soil and the product is a leacher whereas in the  
20 U.S. it's not going to be used in sandy soil, then you can see  
21 how the Risk Assessment related to water might be different.

22 ARBITRATOR BROWER: Again, that's Risk Assessment  
23 based on surrounding conditions and not differences in the  
24 scientific analysis.

25 THE WITNESS: Absolutely.

14:48 1           Yeah, but I think what I'm saying is it's possible for  
2 both to exist because--and it may be very different now. I  
3 mean, we are in 2008, and so that's really 10 years on, but if  
4 countries only started to work together in 1992, and really  
5 with sort of a very planned approach as of about 1995, in 1998  
6 or 1999, we weren't very far down that list of things that we  
7 had to sort out, if I can say that.

8           So, I don't think--I'm not disputing you. I'm just  
9 trying to say that it could happen because of different  
10 conditions or because some of the Risk Assessment approaches  
11 still differ.

12           ARBITRATOR BROWER: Right.

13           Now, counsel will correct me if I still haven't  
14 understood the point about the complaint or a complaint of the  
15 Claimant is with respect to the long period of time that was  
16 taken to deal with CS FL also known as a form of Gaucho also  
17 known as the all-in-one pesticide and fungicide; have I got  
18 that right? Okay.

19           Was that application submitted jointly to the EPA and  
20 the PMRA?

21           THE WITNESS: Not to my knowledge.

22           ARBITRATOR BROWER: Okay.

23           THE WITNESS: As I understood it, it was already  
24 registered in the U.S. some years previously.

25           And the submissions we got in Canada, the first one

14:50 1 was for export only and was not an all-in-one, and then the  
2 second one for Canada was not an all-in-one.

3 So, it was the third or so on submission that we would  
4 have gotten that was the all-in-one.

5 ARBITRATOR BROWER: Right. But the fact it was  
6 already registered in the United States and presumably there  
7 was data available at the EPA wouldn't affect how you  
8 approached the application.

9 THE WITNESS: It could have affected how we approached  
10 the application.

11 Typically, what we ask the Registrants to do is  
12 provide any reviews that the U.S. has done in their  
13 application. And if they haven't done that, then we as an  
14 organization have to find those pieces of information.

15 And I'm sufficiently removed I can't talk in specifics  
16 to this particular application, but some of the things that we  
17 face in any application is there is a list of data requirements  
18 that have to be met. And if a submission comes in and doesn't  
19 meet those data requirements, then it goes back to the  
20 Registrant, and it's up to the Registrant to fill those data  
21 requirements.

22 So, it's very hard for us to review a submission that  
23 is incomplete. So as an organization, when we were set up in  
24 1995, that was part of our efficiency measures, is that we  
25 would only review submissions that were complete. So, in this

14:51 1 case I don't know exactly what would have happened, but  
2 certainly one of the issues is an incomplete data package.

3 ARBITRATOR BROWER: Okay. Maybe I don't know whether  
4 you or counsel or both of you can clear this up. Was Claimant  
5 precluded from making a joint submission of CS FL because the  
6 registration in the United States predated the adoption of the  
7 joint submission procedure?

8 THE WITNESS: I wouldn't have thought that was the  
9 case. I would have thought that because it was already  
10 registered in the U.S., it doesn't meet the criteria for a  
11 joint submission.

12 ARBITRATOR BROWER: That's what I mean.

13 THE WITNESS: Yeah.

14 ARBITRATOR BROWER: It was not possible to make a  
15 joint submission--

16 THE WITNESS: I'm sorry, I couldn't tell if you were  
17 asking me a question or giving me an answer.

18 ARBITRATOR BROWER: It was not possible for them to  
19 make a joint submission in conformity with the joint submission  
20 setup that PMRA and the EPA arranged because they were already  
21 registered in the United States.

22 THE WITNESS: Exactly, yes, but that didn't preclude  
23 us working together in some way. Yeah.

24 ARBITRATOR BROWER: That, I understand.

25 THE WITNESS: Well, yeah, okay.

14:52 1           ARBITRATOR BROWER: But not in the same way that you  
2 do on a joint submission that meets the criteria.

3           THE WITNESS: That's correct.

4           ARBITRATOR BROWER: When you receive joint  
5 submissions, are they processed in the order in which received?

6           THE WITNESS: Yes.

7           ARBITRATOR BROWER: Okay. And you accept all requests  
8 for processing of joint submissions?

9           THE WITNESS: Well, certainly we did in those days.

10          You know, I think it's important to note that as a  
11 regulatory Agency or as regulatory agencies, we were beating  
12 the bushes, so to speak, to get joint submissions in. You have  
13 to remember that this was an unknown concept in those days in  
14 1996, and Registrants were for probably lots of good reasons  
15 reluctant to do this because they thought, you know, if it's  
16 really slow with one organization, how slow can it possibly be  
17 with two, and I think those are fair observations.

18          So, as we as regulatory organizations had to work with  
19 the Registrants to show them that, in fact, it was a good  
20 thing, and so we didn't have--what I'm trying to say is we  
21 didn't have buckets and buckets of Joint Reviews coming in the  
22 door. We had, you know, enough. Between then and my time  
23 leaving the organization, I can count them on one hand--or two  
24 hands, I mean.

25          ARBITRATOR BROWER: You have in front of you the

14:54 1 volume containing your first Affidavit and certain exhibits you  
2 were shown previously, the one at Tab 18, which is the ROU, an  
3 action plan.

4 THE WITNESS: Yes.

5 ARBITRATOR BROWER: May I ask you to turn to the page  
6 which has item 13 towards the bottom.

7 THE WITNESS: Yes, I have it.

8 ARBITRATOR BROWER: And in the very bottom bullet on  
9 that page it states as follows, "EPA and PMRA will request U.S.  
10 and Canadian canola associations to prioritize pesticide  
11 registration needs from a list of pesticides now available in  
12 either country which are pending approval in the other country.  
13 That would apply to CS FL, I presume, which was registered in  
14 the United States and not in Canada.

15 THE WITNESS: It could have done if the growers put it  
16 on a list.

17 ARBITRATOR BROWER: Okay. The associations--I  
18 continue, "The associations in consultation with pesticide  
19 Registrants would also be asked to identify alternatives to  
20 pesticides such as organophosphates, and I take it we are  
21 dealing with organophosphates; is that right?

22 THE WITNESS: How do you mean?

23 ARBITRATOR BROWER: No, lindane--

24 THE WITNESS: It was an organochlorine.

25 ARBITRATOR BROWER: Sorry. Shows I didn't get past

14:55 1 high school chemistry.

2 Or other risk concerned. The resulting list will then  
3 be a basis for a longer term strategy to assure adequate  
4 reduced risk pest control tools for canola growers and will fit  
5 with current NAFTA efforts to promote a coordinated approach to  
6 integrated pest management for canola.

7 Now, that suggests that the CCC or the CCGA would be  
8 given an opportunity to say to the PMRA which of potential  
9 Registrants it would like to see registered before others.

10 THE WITNESS: Yeah. The concept there was because  
11 each state and each Province potentially would have a different  
12 list of needs.

13 ARBITRATOR BROWER: Right.

14 THE WITNESS: And so, what we were asking here was  
15 that U.S. and Canada work together on the canola grower side  
16 and come up with a already sorted list of some kind because,  
17 you know, regulatory organizations can't do everything all at  
18 once, and so the idea was give us a sort from your perspective  
19 because it wouldn't all be new pesticides. It might be older  
20 registered pesticides that are in one country or another, and  
21 then how are we going to deal with those.

22 So--and it was really--what we were asking for, and we  
23 do this with other grower groups, is work with the Registrants,  
24 work with the companies, find out what's coming, and give us  
25 some sense of, you know, where your interests lie.

14:57 1 ARBITRATOR BROWER: Right.

2 Did at any time the CCC or the CCGA urge PMRA to get  
3 on with Helix and get it registered, give it a push, as it  
4 were?

5 THE WITNESS: Well, there was a letter from the Canola  
6 Council, and maybe counsel can find it for me, from Tony  
7 Zatylny, in fact, that it didn't specify the types of products  
8 or the products, but it did talk about the importance of  
9 alternatives and so on. So, there is a letter from the Canola  
10 Council, and somebody I'm sure will find it, but it didn't  
11 specify which product or which products. It just talked about  
12 the importance and so on. And they urged us to move forward.

13 ARBITRATOR BROWER: Right.

14 MR. DOUAIRE de BONDY: Counsel has found it. It's at  
15 Exhibit WS-24.

16 ARBITRATOR BROWER: I think we have seen it before,  
17 actually.

18 THE WITNESS: It's in the second Affidavit, is it?

19 MR. DOUAIRE de BONDY: Yeah, your first Affidavit.

20 THE WITNESS: Of course.

21 Yes. And there it is.

22 So, in no place does it specify specific products.

23 ARBITRATOR BROWER: So the answer to my question is  
24 no?

25 THE WITNESS: That's right.

14:59 1 ARBITRATOR BROWER: Thank you.

2 Last question, I think, back to your Tab 18 to your  
3 first Affidavit, and over to item 13 again, and if you turn  
4 over the page to where 14 appears, you will see the penultimate  
5 bullet above that reads as follows, "The U.S. Department of  
6 Agriculture (USDA) and Agriculture and Agrifood Canada in  
7 conjunction with EPA and PMRA will convene preferably by  
8 March 1999 a high-level meeting with Chief Executive Officers  
9 of North American pesticide companies to encourage companies to  
10 take advantage of the pesticide Joint Review process, which  
11 obviously was no longer available to this Claimant with respect  
12 to CS FL"--I understand that--"and to encourage industry's role  
13 in harmonization goals."

14 Was that meeting ever held, and if so, when?

15 THE WITNESS: Yes, it was held, and it was held by  
16 March 1999. The first one was held in Canada, and we had some  
17 110 participants, and then the second one about six months  
18 later was held in the U.S., again with high-level  
19 participation.

20 ARBITRATOR BROWER: And Chemtura participated in that  
21 meeting?

22 THE WITNESS: I, unfortunately, have no recollection  
23 of that, and I don't have the documents in front of me as to  
24 whether they did or didn't.

25 ARBITRATOR BROWER: Right.

15:00 1 THE WITNESS: But certainly they would have been given  
2 the opportunity in both cases.

3 ARBITRATOR BROWER: Thank you very much. Those are my  
4 questions.

5 PRESIDENT KAUFMANN-KOHLER: Thank you.  
6 Professor Crawford.

7 ARBITRATOR CRAWFORD: I just want to take you back to  
8 the PMRA letter of 18 November 1998, which counsel took you to.  
9 It's Volume 2 in the Joint Hearing Bundle, Tab 57. I'm sorry,  
10 I don't know how that translates into--I'm using a Joint  
11 Bundle. It's a letter in which you're writing to the EPA about  
12 harmonization.

13 THE WITNESS: Yes. Yes, I have it.

14 ARBITRATOR CRAWFORD: It discusses at some detail.

15 Beginning at the top of Page 2, you have got a  
16 description of the work you're doing on Helix. This was, I  
17 understand, just shortly before the Helix, the first Helix  
18 submission went in. Obviously there was a great deal of work  
19 put into this joint submission.

20 THE WITNESS: Um-hmm.

21 ARBITRATOR CRAWFORD: Why didn't you do the same thing  
22 for Gaucho CS FL?

23 THE WITNESS: Well, I can only presume the same thing  
24 was done for Gaucho CS FL. I think I stated earlier, and I'll  
25 talk about it again, that this process that is described here

15:02 1 would be the needed process for any Joint Review, not just  
2 Helix. So, the Joint Review we did in '96. The ones we would  
3 have done between '96 and this period of time, because of the  
4 complications regarding working together with two countries  
5 with a somewhat dissimilar regulatory regime at that point, it  
6 took a lot of front end management.

7 Plus, it was new to us. It was a very new concept to  
8 the companies. It was very innovative, and so it took a lot of  
9 front end planning in order to make it work.

10 So, I really can't emphasize enough that this was not  
11 done just for Helix. It was done for every Joint Review or  
12 workshare. And as it turned out, Helix became a workshare  
13 because it ended up being two different as far as the  
14 submissions were, but this would have been a common approach in  
15 the sort of Joint Review or workshare processes for new  
16 products, and it would have been a common approach for the  
17 re-evaluation products where we worked together, at lindane  
18 would be an example of that, and other well-known products like  
19 2,4-D we worked very closely together. And these kinds of  
20 efforts as far as figuring out how to work together would be  
21 very, very, very similar.

22 And I can't imagine that similar work wouldn't have  
23 been done for Gaucho. I don't know the details of that  
24 particular submission, but if part of it was lack of data  
25 requirements, we certainly would have worked with the company

15:04 1 to let them know what those were.

2 ARBITRATOR CRAWFORD: Thank you.

3 PRESIDENT KAUFMANN-KOHLER: Now I am confused. Did  
4 you not say before that Gaucho was--CS FL was already  
5 registered in the U.S., and therefore because it was already  
6 registered, the Joint Review was not available?

7 THE WITNESS: No, that's quite correct, but that  
8 doesn't preclude us as an organization communicating with the  
9 company to say that, you know--I mean, I'm only speculating  
10 because I don't know the details of the submission, but telling  
11 the company that you have some data requirements missing and,  
12 working with them as closely as we possibly could to get that  
13 information in, or working with the U.S. to get whatever data  
14 we could to help us with that review.

15 PRESIDENT KAUFMANN-KOHLER: And while we are on this  
16 document--that is, the letter of November 18, '98, that is  
17 Tab 57.

18 THE WITNESS: Of course, I have it.

19 PRESIDENT KAUFMANN-KOHLER: If I understand it  
20 correctly, the Helix submission was dated a week thereafter  
21 November 25th.

22 So, if I read here the second page refers to meetings  
23 between the respective staffs and Novartis, that means there is  
24 a lot of advance work prior to the submission being done with  
25 the company and the agencies?

15:05 1 THE WITNESS: Yes, that's correct. That's very common  
2 with respect to new active ingredients, which is what this is,  
3 as different than the Gaucho submission, not to say that we  
4 wouldn't work closely, but Gaucho was not a new active. It was  
5 already registered as an active ingredient. Imidacloprid was  
6 already registered in Canada. And typically, with a new  
7 active, there's a lot of unknowns, and so Registrants worked  
8 very closely with the regulatory authority to make sure they've  
9 understood the data requirements. If there are any particular  
10 issues related to the Active, are there additional data  
11 requirements that might be useful to clarify things.

12 So, for new active submissions, this is very normal to  
13 do a lot of upfront work. You know, for example, there are a  
14 number of two- and three-year toxicology related studies and  
15 exposure studies. Well, A company isn't going to just do those  
16 without any contact with the regulatory organization. They're  
17 going to come in. If the submission came in in November of  
18 1998 or whatever we are talking about now--

19 PRESIDENT KAUFMANN-KOHLER: '98.

20 THE WITNESS: '98--they would have been in contact  
21 with PMRA and EPA three or four years earlier, saying, you  
22 know, we've got this one, it looks pretty good. There's a  
23 little issue here. We're going to propose to do an additional  
24 exposure study, what do you think?

25 So, that is very common, and particularly for new

15:07 1 active ingredients, but, you know, I would have to say that  
2 regulatory organizations try to be as open as possible to  
3 companies' needs without allowing--I mean--yeah, without  
4 allowing frivolous meetings, I guess if I can put it that way.  
5 So, you know, it would not have been any different, I don't  
6 think, with the third Gaucho submission. I just don't know the  
7 specifics of that, but it wouldn't have been a new active  
8 ingredient for one, and then I don't know the rest of it, but  
9 that doesn't mean we wouldn't have made all efforts to  
10 communicate with the company what the issues were.

11 PRESIDENT KAUFMANN-KOHLER: And a Joint Review was  
12 possible even though the application did not involve a new  
13 active ingredient?

14 THE WITNESS: For Gaucho?

15 PRESIDENT KAUFMANN-KOHLER: No, generally.

16 THE WITNESS: Well, in the early days, it was only for  
17 a new active ingredient.

18 PRESIDENT KAUFMANN-KOHLER: That's what I had  
19 understood, but now you speak of a possibility of a Joint  
20 Review for Gaucho.

21 THE WITNESS: If you understood that, I misspoke.

22 PRESIDENT KAUFMANN-KOHLER: Or I misunderstood.

23 THE WITNESS: Yeah, but I mean I am sort of building  
24 on something.

25 When we first started, we tried to keep it simple:

15:08 1 One active ingredient, a few uses because it was a complex  
2 process. But as we learned more, we opened the process up  
3 more, so probably now there's opportunity for Joint Reviews for  
4 secondary products like Gaucho. But even so, the U.S. already  
5 had that registered, so it wouldn't have even fit in the newer  
6 category.

7 ARBITRATOR CRAWFORD: So if I can summarize, I'm  
8 suffering from some of the same confusion. There were two  
9 reasons distinguishing Gaucho CS FL from Helix. One was that  
10 Gaucho CS FL was already registered in the U.S., and the other  
11 is that it didn't involve a new active ingredient. Can I have  
12 yes or no to that.

13 THE WITNESS: Well, I'm going to equivocate because  
14 it's not a simple yes-or-no answer. I mean, yes, those are  
15 absolute differences.

16 MR. SOMERS: I'm sorry, I hesitate to interrupt, but  
17 the water is just getting murkier. Gaucho CS FL was not  
18 registered in the U.S. until long after its submission to  
19 Canada. It's something like July 2003, it was registered in  
20 the United States.

21 ARBITRATOR CRAWFORD: It wasn't the subject of a joint  
22 submission.

23 (Comment off microphone.)

24 MR. DOUAIRE de BONDY: I think the confusion is simply  
25 Ms. Sexsmith is referring to a registration of a Gaucho product

15:10 1 in the U.S. which is based on imidacloprid, and I think my  
2 understanding as well is that wasn't an all-in-one or it was a  
3 Gaucho product, but it may not have been CS FL. That's all.

4 ARBITRATOR CRAWFORD: I mean, if we are not talking to  
5 the right person, let's not talk further about it because I  
6 think it's a question of who is the right witness to discuss  
7 this issue with.

8 THE WITNESS: Well, if I can just say, the bottom line  
9 is, imidacloprid was registered in the U.S. and in Canada  
10 already.

11 ARBITRATOR CRAWFORD: Yes.

12 THE WITNESS: And that at that point in time that it  
13 precluded the Joint Review concept, okay? So, I guess to try  
14 to get out of the murk a little bit.

15 ARBITRATOR CRAWFORD: Thank you very much.

16 ARBITRATOR BROWER: It was not a new substance, not  
17 before treated.

18 THE WITNESS: Um-hmm.

19 And the reason for that, the way the Joint Reviews  
20 were originally created was to really try and encourage new  
21 active ingredients to get registered in Canada, so that was the  
22 Canadian perspective at least. And so that's why we focused on  
23 new active ingredients, new products in the Joint Review  
24 process. That has subsequently changed.

25 ARBITRATOR BROWER: From all you've said, one could

15:11 1 have the impression that dealing with CS FL in Canada should  
2 have been a lot easier than dealing with a joint submission, as  
3 was in the case of Helix, because you didn't have to go through  
4 all this bureaucratic coordination of workshare and so forth,  
5 and you could just presumably tap into whatever EPA had, and  
6 you had something that was already registered.

7 THE WITNESS: Well, within the PMRA, we had  
8 categorized all different types of registrations, and Category  
9 A was typically a new active ingredient or a completely--an  
10 active ingredient in new use site, and with a specific time  
11 line. And frankly, I don't know where this one particularly  
12 fell. All I can say is every effort would have been made to  
13 work with the Registrant as we would do with any of our  
14 submissions, and it may very well have been simpler if, in  
15 fact, we had received all of the necessary data.

16 So--and I don't really know what the circumstances  
17 are, but that is certainly a deal--well, not just a roadblock.  
18 If we get a submission that does not have all the data, and we  
19 send that back to the Registrant and we don't get any more  
20 data, it makes it very difficult for us to move forward whether  
21 it's easy or not. And I'm not saying that's the case. I'm  
22 just citing a possibility.

23 PRESIDENT KAUFMANN-KOHLER: Any further questions?  
24 No? Fine.

25 Then that, Mrs. Sexsmith, completes your examination.

15:13 1 Thank you very much.

2 THE WITNESS: Thank you.

3 (Witness steps down.)

4 PRESIDENT KAUFMANN-KOHLER: So, I would suggest we  
5 take just a 10-minute break, if that is fine with you, and then  
6 we go over to the next witness? Good.

7 (Brief recess.)

8 PRESIDENT KAUFMANN-KOHLER: Good afternoon.

9 SUZANNE CHALIFOUR, RESPONDENT'S WITNESS, CALLED.

10 THE WITNESS: Good afternoon.

11 PRESIDENT KAUFMANN-KOHLER: You're Suzanne Chalifour?

12 THE WITNESS: I'm Suzanne Chalifour.

13 PRESIDENT KAUFMANN-KOHLER: Thank you.

14 You are Acting Director in the Review and Science  
15 Integration Division of the Registration Directorate of the  
16 PMRA.

17 THE WITNESS: That's right.

18 PRESIDENT KAUFMANN-KOHLER: You have given two Witness  
19 Statements.

20 THE WITNESS: Yes, I have two affidavits here.

21 PRESIDENT KAUFMANN-KOHLER: And you're heard here as a  
22 witness. You're under a duty to tell us the truth. I would  
23 like to ask you to confirm this by reading into the record the  
24 Witness Declaration that is in front of you, please.

25 THE WITNESS: I'm aware that, in my examination, I

15:29 1 must tell the truth. I'm also aware that any false testimony  
2 may produce severe legal consequences for me.

3 PRESIDENT KAUFMANN-KOHLER: Thank you.

4 Now we will first turn to Canada's counsel for some  
5 introductory questions, and then to Chemtura's counsel for  
6 cross-examination.

7 You have the floor.

8 MS. ELLIOTT-MAGWOOD: Thank you.

9 DIRECT EXAMINATION

10 BY MS. ELLIOTT-MAGWOOD:

11 Q. Ms. Chalifour, I understand that on reviewing your  
12 affidavits, there's a few small things to clarify from your  
13 first affidavit, so we will just walk through them in the order  
14 that they appear in your Affidavit.

15 The first one, I believe, is at Paragraph 56.

16 A. The point that I'm making here is this submission to  
17 register Gaucho CS, all the necessary information was provided  
18 in February 2001 when, in fact, the submission went on hold  
19 again and was not--all the information was not submitted until  
20 May 2001, when it went for a full review.

21 Q. Thank you.

22 And in Paragraph 62?

23 A. Okay. In this paragraph, I'm referring to three  
24 products that were given priority review under the Agreement.  
25 And in fact the product that was given priority review was

15:31 1 Gaucho 75 seed treatment, and not Gaucho 480, although that  
2 also was registered as a priority review.

3 And I think earlier on I indicate that Gaucho was one  
4 of the three products given priority review.

5 Q. Okay. And your final clarification, I believe, is at  
6 Paragraph 66 of the same Affidavit.

7 A. In fact, the original submissions to register Helix  
8 and Thiamethoxim Technical were withdrawn in 2000--withdrawn  
9 and rejected in 2000 respectively, and the second submissions  
10 followed in early 2000 as well.

11 Q. Rather--

12 A. 1999. Thank you.

13 Thank you. Those are all my questions.

14 PRESIDENT KAUFMANN-KOHLER: Thank you.

15 Mr. Bedard?

16 MR. BEDARD: Thank you, Madam President.

17 CROSS-EXAMINATION

18 BY MR. BEDARD:

19 Q. Ms. Chalifour, my name is Ben Bedard. I'm here on  
20 behalf of Chemtura.

21 A few clarification questions first for you. You've  
22 given some evidence on the Withdrawal Agreement and what was  
23 contained in that.

24 Were you involved in the Withdrawal Agreement process?

25 A. No, I wasn't at all. Dr. Claire Franklin and Wendy

15:33 1 Sexsmith were more closely involved in that.

2 Q. Okay. And were you in the Lindane Special Review?

3 A. No, I wasn't.

4 Q. Or the recent/ongoing REN re-evaluation process on  
5 lindane?

6 A. Not at all.

7 Q. Okay.

8 I will be asking you questions on your first and  
9 second affidavits. If you could start with your first  
10 Affidavit, Paragraph 11.

11 This is where you mentioned--but first under  
12 management and submissions policy, and you make the statement  
13 there in the second sentence: This policy has not been issued  
14 as a finalized Directive.

15 Are you saying there that the MOSP is not binding on  
16 the PMRA? Is that what you're saying by that second sentence?

17 A. Well, first of all, it is a policy, and it provides  
18 guidelines and time lines for submission and review of various  
19 types of submission.

20 It is a proposal and was never finalized, so it's  
21 policy.

22 Q. Not binding, but this is the document that's out there  
23 to guide the process.

24 A. That's right.

25 Q. And if it had been a finalized Directive, are

15:34 1 directives binding on PMRA?

2 A. It depends.

3 Q. Not necessarily.

4 A. Not necessarily, yeah.

5 Q. Okay.

6 Paragraph 14 of your first Affidavit is where you  
7 start getting into the different categories and it gets a  
8 little bit confusing because we have Category A's and Level  
9 A's, and Category B's and Level B's?

10 A. That's true. That was a mistake.

11 Q. Am I correct that Helix was a Category A submission  
12 and the Gaucho CS FL was a Category B.2.6?

13 A. Yes. Helix was a Category A submission for  
14 registration of a new end-use product, and its sister  
15 submission for registration of tactical was also a category  
16 submission.

17 Helix CS was registration of a new formulation of a  
18 registered active ingredient, and was a Category B submission.

19 Q. Just to clarify the record. You said Helix CS. I  
20 believe you meant Gaucho CS FL.

21 A. I did mean Gaucho CS.

22 Q. And are you--you say it's a Category B submission.  
23 Are you reluctant to agree that it's a B.2.6? Are you  
24 disagreeing with that?

25 A. No, I'm not disagreeing. There are a number of

15:35 1 different Category B submissions. I think the point that's  
2 important here is that it was to register a new formulation.

3 Q. Okay. And so getting to that, Gaucho CS was really  
4 the old Vitavax Dynaseal with the lindane replaced by  
5 imidacloprid; is that correct?

6 A. It was a new formulation of imidacloprid, the end-use  
7 product insecticide, end-use product, combined with two  
8 fungicide active ingredients. So, it was a new formulation and  
9 new combination of technical active ingredients into a new  
10 end-use product.

11 Q. The two fungicides were thiram and carbathiin?

12 A. Yes. They were already registered.

13 Q. And they were already registered for use on canola.

14 A. That's right.

15 Q. As was imidacloprid?

16 A. In the form of Gaucho 75ST and--

17 Q. And 48 FL. Yes.

18 A. Gaucho 480 Flowable--I'm trying to remember.

19 Q. Okay. Gaucho 75 was--

20 A. --when it was registered.

21 Gaucho 75 was certainly because that was first  
22 registered in '96.

23 Q. And Helix at the time it was submitted was comprised  
24 of an insecticide and three fungicides. The insecticide had  
25 never been registered in Canada for any use; is that correct?

15:37 1 A. That's right. It was a new active ingredient.

2 Q. And two of the fungicides had never been registered  
3 for use on canola in Canada; is that correct?

4 A. I believe so, although I was working in the  
5 Insecticide Section, and I'm not certain what the use pattern  
6 for the fungicides were. I believe you're right.

7 Q. Just generally speaking, Category A compared to  
8 Category B and specifically looking at Category A for a new  
9 insecticide never registered for anything on Canada. Category  
10 A versus a Category B, the Category A submission would be  
11 significantly more extensive than Category B.

12 A. Because it was for a new active ingredient that hadn't  
13 been registered in Canada, it would require more supporting  
14 data, yes.

15 Q. Right. Lots of different studies that are applicable  
16 to a new active ingredient, that would not be applicable to a  
17 new formulation.

18 A. That is right.

19 Q. Yes? Okay.

20 So, I think we've got Category A and Category B, and  
21 now if we can talk briefly about Level A and Level B.

22 A. All right.

23 Q. I'll try to summarize it, and you tell me if I'm  
24 incorrect.

25 The Level A stage--so these are stages of the

15:38 1 submission process; is that right?

2 A. That's right.

3 Q. And I'm in your Paragraph 17 here--

4 A. That's right.

5 Q. --if you want to review it.

6 Level A is sort of a very basic paperwork check: Have  
7 they given us the right forms, have they given us a check.  
8 It's that kind of basic pro forma minimal paperwork review to  
9 see if everything is in the package; correct?

10 A. That's right.

11 Q. And then Level B is the screening stage, and that's  
12 more of a checklist process to see if all of the required  
13 elements are there?

14 A. That's right.

15 Q. And then Level C is a preliminary review of the data,  
16 and Level D is a more detailed review of the data. Are those  
17 also correct?

18 A. That's right.

19 Q. Okay.

20 We'll get later to a few of your exhibits, but I want  
21 to understand as well in the processing of submissions we see  
22 the phrases "in queue" versus "started." Can you tell me the  
23 difference between those two?

24 A. "In queue," for example, "Level B in queue" means that  
25 the submission has completed the Level A verification review,

15:39 1 and is now waiting for an evaluator or screener to pick the  
2 submission up.

3 Q. Okay.

4 "And started?"

5 A. "Started" means that it has been picked up by the  
6 evaluator or the screener, and they are going through the  
7 process at whatever stage they are in.

8 Q. Okay. And so at a Level C or Level D stage, there are  
9 different teams, if that's the right word, different groups of  
10 evaluators looking at the package?

11 A. At a level...

12 Q. At a Level C or Level D, at the review stage.

13 A. In the review stage, the Level C review, which is the  
14 preliminary review, the science team is assigned, and they are  
15 looking at the data that have been submitted and determining  
16 whether or not the data are adequate for a full review. And  
17 usually, although it can happen, usually the evaluator that  
18 does the Level C review will continue if--will continue to do  
19 the Level D review, unless, of course, the submission goes on  
20 hold and their workload may be managed differently and it be  
21 assigned to another evaluator. So, it's not guaranteed that it  
22 is the same evaluator.

23 Q. Okay. In a level--so let's talk about a Level D  
24 review. If it's "in queue" Level D, that means it's past Level  
25 C.

15:41 1 A. That's right.

2 Q. "Started" for Level D means that all of the evaluation  
3 groups are looking at it now?

4 A. No. Actually, we have a division status table in our  
5 database which indicates all the evaluators that have been  
6 assigned to do a Level D review, and they all, as they pick up  
7 the submission, will flip it to "started" when they begin their  
8 review. So, it's quite possible that you have an evaluator in  
9 one review stream who has picked it up where the submission is  
10 still "in queue" waiting for another evaluator to pick it up.

11 Q. Okay.

12 So, when we look at some of your exhibits for Helix,  
13 if it says "Level D started," that means all of the evaluators  
14 have picked it up, and if it's still "in queue," it means not  
15 all of the evaluators--

16 A. The overall status of the submission is Level D  
17 started, and that should indicate that all the evaluators have  
18 picked it up.

19 Q. Once we get to "started"?

20 You have to say yes for the--

21 A. Yes. Once we get to "started," all the evaluation  
22 team should have picked up and begun their review.

23 Q. Okay.

24 The screening stage--I don't think we need to turn to  
25 the MOSP, but do you recall that screening for Category B, the

15:42 1 standard is 45 days; is that right?

2 A. The screening stage Level B is 45 days--

3 Q. 45 days.

4 A. --for the PMRA to screen the submission.

5 Q. Right, okay.

6 If we flip ahead to Paragraph 36 of your Affidavit,  
7 the last sentence of that paragraph says: "On October 13,  
8 1999, the PMRA provided information regarding data requirements  
9 for a Category B.2.6 submission and provided a list of required  
10 supporting data."

11 And then you footnote that to Exhibit SC-33. And if  
12 we flip ahead to that, to SC-33, we have an e-mail here to Bob  
13 Chyc at Gustafson. And if we flip to the second page, this is  
14 the checklist for a B.2.6; correct? We see it on the second,  
15 third, fourth, and fifth pages.

16 A. This is what we call a "DACO table," and that simply  
17 means the data requirement for this type of a submission.

18 Q. Right.

19 These are the elements you're looking for in the  
20 screening process.

21 A. Yes.

22 Q. Okay.

23 This is the standard data screen for a B.2.6?

24 A. That's right.

25 Q. It was at this time.

15:44 1           And when you're at the screening stage and you've just  
2 received the submission, you're not--you, PMRA--are not reading  
3 every page. You're comparing what's come in against this data  
4 screen, this checklist, to see do we have something for 1.A, do  
5 we have something for 1.B. That's the exercise.

6       A.    It's that detailed, that's right. The screener is  
7 looking to make sure that all the data requirements have been  
8 addressed.

9       Q.    Right.

10           They're not reviewing the data substantively.

11       A.    No, the screener is not.

12       Q.    Right, okay.

13           And if the submission addresses all of the elements,  
14 then it's passed and it moves to the next stage.

15       A.    That's right.

16       Q.    And to satisfy an element--correct me if I'm  
17 wrong--you can either submit data, you can either refer back to  
18 previously submitted data, or you can request a waiver based on  
19 a scientific rationale; is that right?

20       A.    That's right.

21       Q.    So, you don't need data for every element necessarily.

22       A.    That's right. But the caveat is there that if a  
23 waiver request or rationale is submitted, that that will be  
24 assessed at Level C.

25       Q.    At Level C. Right, okay.

15:45 1           And the Gaucho CS FL application that was submitted in  
2 March 2000, it had--it addressed each of those elements; is  
3 that correct? It either had data or a waiver?

4       A. No, that can't be correct because the submission went  
5 beyond hold, so there were elements that were missing or not  
6 fully addressed, and the letter was returned to the Applicant,  
7 asking for further elements.

8       Q. Okay. So, I believe the letter you're referring to is  
9 Exhibit SC-29. And this is July 27th, 2000.

10           And we can see this letter has a copy of that data  
11 screen, that checklist, with comments for each of the elements.

12       A. It does have that, and it also has Attachment 3, which  
13 defines the deficiencies that were found at the screen.

14           Attachment 2?

15       Q. Yes.

16       A. Sorry.

17       Q. No, that's fine.

18           So, first of all, just as a question, the submission  
19 was filed March 27, 2000, and this first response is July 27,  
20 2000. So, it's been, if you'll take my word for it--and I'm  
21 ignoring a few days for the Level A--it's been about 118 days  
22 since the submission was filed. The standard, you agreed with  
23 me, was 45 days for a screen. So, the Level B process if  
24 someone that looks at this checklist, compares it to the  
25 package, sees if there is something missing.

15:47 1           Can you explain why it would have taken 118 days for  
2 someone to go through this five-page checklist to see whether  
3 the package had or did not have these elements?

4       A.    I do know that there was quite a lot of correspondence  
5 between the Applicant and the PMRA between the time that the  
6 submission was made in March and this letter went out. There  
7 was a lot of correspondence back and forth. In fact, when the  
8 submission came in, there was a request for an expedited  
9 review, and that had to be addressed, and there was other  
10 correspondence as well regarding it.

11       Q.    That correspondence didn't go to the substance of the  
12 application, if I'm correct. It only addressed that issue that  
13 you just mentioned of whether or not it should have an  
14 expedited review; is that right?

15       A.    I have to read that letter to determine what it  
16 referred to. I just recall that there was correspondence  
17 between...

18            So, I believe it's part of my--but also to answer your  
19 question--to answer your question, the deficiencies that were  
20 required or were that--that were found at Level B are outlined  
21 here. So, to the extent that the screener was satisfied that  
22 these were missing, they looked at the information that was  
23 provided and determined that these were deficiencies.

24            It's true, it took longer than the 45 days, if that is  
25 your question.

15:50 1 Q. This deficiency list arises from a review of the  
2 submission against the checklist, against the data screen.

3 A. That's right.

4 Q. Regardless of whether or not you find deficiencies,  
5 that process is not a particularly involved process; correct?

6 A. Well, it's fairly involved and it takes 45 days and at  
7 the same time the submission--the screening section is  
8 reviewing a number of other submissions.

9 Q. Ideally it takes 45 days.

10 Can you go through--have you seen the Gaucho CS FL  
11 submission? Did you actually look at the submission in  
12 preparation for your testimony in this proceeding?

13 A. Yes, I did.

14 Q. And would you have been able to tell what the initial  
15 submission was as opposed to what came in afterwards? In other  
16 words, what was filed on March 27th, 2000, as opposed to  
17 studies that came in subsequently, is my question.

18 A. Well, when you say did I see the submission, I saw the  
19 submission file, which has correspondence regarding the  
20 submission. I didn't see all the data, actually handle all the  
21 data that were submitted.

22 Q. Okay. You did not review the data. Not  
23 review--"review" is a technical word here. I apologize for  
24 that.

25 You saw the submission that was filed on March 27th.

15:51 1       A.    I saw the submission file.  It would have been  
2 accompanied by boxes and boxes of data which would have gone to  
3 the review divisions for their Level C review.

4       Q.    Did you see those boxes and boxes of data?  I'm not  
5 trying to be facetious.  A B.2.6 submission, especially in this  
6 case where it's a fairly simple submission in the sense that  
7 imidacloprid is replacing lindane, it did not involve  
8 environmental fate studies.  It did not have plant and animal  
9 metabolism studies; is that right?  It wouldn't have had those  
10 studies.  Environmental fate.

11       A.    But if you go back to the DACO list, it may have  
12 required environmental fate studies.  In fact, there is some  
13 toxicity, environmental toxicity data, that are conditionally  
14 required and could be requested.

15       Q.    Right.  They may or may not be required, and that will  
16 unfold during the review of the submission--

17       A.    During the Level C review, that will be established.

18       Q.    The deficiency list that you referred to--I understand  
19 it, and we will get to it--does it say that any of the required  
20 elements were not addressed, either by the provision of data or  
21 a waiver?  Waiver request, I'm sorry.

22       A.    Well, it lists what are required, what deficiencies  
23 are in Attachment 2.

24       Q.    Okay.  It's probably worthwhile to look into those  
25 deficiencies a bit.

15:53 1           Did you see the Helix submission? Have you reviewed  
2 the Helix submission?

3       A.    I read--I reviewed the submission file.

4       Q.    Okay. Not the boxes and boxes of data?

5       A.    No.

6       Q.    Okay.

7            If we flip back, and I think we're around Paragraph 34  
8 of your first submission, what I think you've said in your  
9 Affidavit is that there are four--and I'm summarizing your  
10 evidence here--there are four nontrivial issues that you raise  
11 that caused the Gaucho CS FL submission to take so long. And  
12 I'm summarizing here, but correct me if you disagree with  
13 these: The absence of product chemistry and toxicity studies  
14 in the initial submission, the new pest claims, the mustard  
15 issue, which you call a new use site which relates to disease  
16 claims for mustard, and the tank mix issue. As you recall,  
17 your evidence, are those sort of the major issues that would  
18 have caused the delay?

19       A.    You're referring to Paragraph 34?

20       Q.    Well, I believe it starts--sorry, 35 is where you  
21 mentioned the pests, and further down in 35 is where you say a  
22 new use site mustard was also proposed. You mention product  
23 toxicity, product chemistry and toxicity studies in 37, and you  
24 mention the tank mix issue in 39.

25            You don't have to agree with me. I'm asking you what,

15:55 1 in your recollection, were the major issues that caused the  
2 application to take so long. Do you recall those four issues  
3 that I mentioned?

4 A. Well, what caused this submission to take so long was  
5 the fact that it went through Level B twice. And you're right,  
6 the review time is 45 days for the PMRA, so it went through  
7 twice. We had to wait for the Applicant to respond to those  
8 Level B Deficiency Letters and further requirements that were  
9 specified. It then went through Level C, and there were  
10 deficiencies identified there, and those were addressed after a  
11 period of time by the Applicant--came back in, went through a  
12 third Level B to make sure that everything that was asked for  
13 was provided.

14 Q. Okay. You've given us quite a helpful timeline in  
15 your second Affidavit, which I think--well, which we will get  
16 to, but maybe we can address these four issues because  
17 certainly in your first Affidavit, these were some the reasons  
18 specifically why you say the application took so long.

19 So, you recall saying that there were no product  
20 chemistry or acute toxicity studies submitted with the original  
21 application. Do you recall that?

22 A. I believe that's the case. I believe a waiver request  
23 was submitted for the acute tox data, even though it is  
24 required data and not conditionally required data. And see-

25 Q. Sorry, just on that point, required versus

15:57 1 conditionally required, you can meant a required element by  
2 requesting a waiver, a data waiver. Whether PMRA accepts it or  
3 not, a different Level C issue, but people, Applicants file a  
4 waiver request for required elements; correct?

5 A. Usually that data is required. It's not--and, in  
6 fact, we did accept the submission with a waiver request for  
7 those required elements. But at Level C at the preliminary  
8 review, those data were required. So that was the  
9 Applicant's--the Applicant's prerogative to submit a waiver  
10 request.

11 Q. Right.

12 The element is met for Level B purposes. At Level C,  
13 PMRA may say, I don't buy that waiver, give us the data.

14 Is that a fair summary?

15 A. And when you look at the Deficiency Letter, that is  
16 not cited as a deficiency at Level B.

17 Q. Right. I agree.

18 PMRA said in Level C, we want those studies, as I  
19 think you just said, and the studies were provided on  
20 October 26, 2000. Does that sound right to you?

21 A. Yes, it does.

22 Q. It does?

23 A. Yes, it does, sorry.

24 Q. So, the product chemistry and acute toxicity studies,  
25 their absence from the initial submission, that issue was off

15:58 1 the table as of October 26, 2000, in the sense that PMRA's  
2 issue with it was addressed.

3 A. No, actually, no. When they were submitted on  
4 October 26th, we had not conducted a preliminary review, so  
5 they weren't off the table. It was addressed. We had the  
6 data, and then they had to undergo a preliminary review to  
7 determine that they were adequate.

8 Q. The absence of the studies as an issue was addressed  
9 as of that point. They still had to be reviewed just like  
10 everything had to be reviewed on Level--

11 A. The Applicant submitted the studies, yes.

12 Q. Okay.

13 Their absence as a factor in slowing things down was  
14 gone as of October 26. Everything had to be reviewed as it  
15 always does in Level C, but their absence as a factor slowing  
16 down the process was no longer an issue as of October 26, 2000.

17 A. They were submitted; that's right.

18 Q. Are you familiar with the Vitavax RS Fungicide  
19 product?

20 A. Only that it was a registered product.

21 Q. Are you aware that the Vitavax RS Fungicide submission  
22 was reviewed and approved in the absence of any product  
23 chemistry or acute toxicity studies?

24 A. No, I'm not.

25 Q. Okay, fair enough.

16:00 1 Mustard, Paragraph 35. This is on Page 12 of your  
2 first Affidavit.

3 A. Page 12, Paragraph?

4 Q. 35. So, it's at the top of Page 35 there.

5 A. Starting on Page 11 and continuing? Yes?

6 Q. Correct.

7 So, I'm on Page 12, and you've got a comment here near  
8 the end, "A new use site, mustard, was also proposed."

9 A. Right.

10 Q. And I think what you're saying there is if we go back  
11 to the Deficiency Appendix 2--Attachment 2 that you were  
12 pointing out to me--

13 A. So that's--

14 Q. This is SC-29.

15 A. Thank you.

16 Q. And it's Page 9 in the numbering. So I'm in  
17 Attachment 2, Page 9. Are you on that page?

18 A. Yes, I'm there.

19 Q. Okay.

20 So, Section 5, part one, Labels, the second paragraph  
21 says: "Several pesticides or pests and sites have been  
22 proposed on the draft label with no currently registered  
23 precedence to support them."

24 And then part two: "Mustard is not an approved crop  
25 for the control of seed rot, damping-off, seedling blight and

16:01 1 early season root rot."

2 A. Right.

3 Q. Is that a new use site issue or a disease claim issue?

4 A. It's a new use site. No use claims were on the--or in  
5 the use pattern for the fungicide components of this proposed  
6 product. Nowhere had we reviewed that use site for those use  
7 claims or--for those use claims.

8 Q. You're saying what this sentence says is that--well,  
9 let's go to Gustafson's response because I think that will be  
10 helpful. So, if we go to SC-30 on September 7, 2000, Gustafson  
11 is responding. So SC-30, this is a letter to Sean Muir of the  
12 PMRA.

13 If we go to the second page near the bottom--this is  
14 their response to this deficiency list. So Section 5, the last  
15 paragraph on this page, the first sentence is: Instructions  
16 for use of this product on mustard seed have not been removed  
17 from the label. There are several registered products with  
18 combinations of carbathiin and thiram for control of seed rot  
19 damping-off, seedling blight, and early season root rot on  
20 mustard.

21 Do you see that?

22 A. Yeah, I do see that.

23 Q. Okay.

24 Their position was that PMRA made a mistake in that  
25 deficiency list, that, in fact, all those Vitavax products had

16:03 1 carbathiin and thiram approved for these diseases.

2 Do you have any further information to clarify whether  
3 PMRA made a mistake in that deficiency list?

4 A. No, I don't. But I think that when it came in at  
5 Level C, the fungicide evaluator would have looked at that  
6 Claim and verified it.

7 Q. Okay. You don't have any information that this issue  
8 continued on through the review process. You don't have any  
9 knowledge--information to confirm whether this was a live issue  
10 throughout the process or whether, in fact, at Level C the  
11 evaluator said, "Oh, you're right, it is on several Vitavax  
12 Product Labels." You don't--

13 A. No, I don't.

14 Q. Okay.

15 And also in Paragraph 35, but let's move back to  
16 Page 11, part way through 35, it says: "The submission covered  
17 a number of new pests, either a no-registered products with  
18 these use claims as precedence for the active ingredient  
19 imidacloprid, including aphids, lygus bug, and cabbage seedpod  
20 weevil."

21 You described this as a new pest Claim that  
22 complicated the submission and required more time; correct?

23 A. Right, and the fact those new use claims were not  
24 supported by efficacy data--

25 Q. Right.

16:05 1 A. --is a complication as well. We couldn't evaluate  
2 whether or not the product was effective without the data.

3 Q. Okay.

4 And Gustafson's response--and if we go back to SC-30,  
5 this is that letter we were just looking at--again it's still  
6 in that same section, so it's the second page, Page 5, part  
7 one, Labels is the heading: Instructions for aphids, lygus  
8 bug, and cabbage seedpod weevil have been removed from the  
9 label as requested.

10 So, you came back to them and said, there is no data  
11 to support this. They came back and said, okay, forget about  
12 it.

13 Is that right?

14 A. Yes.

15 Q. So as of September 7, 2000, the new pest issue was no  
16 longer an issue. They withdrew the Claim.

17 A. We no longer required the data.

18 Q. Right.

19 A. We--they didn't have to support that use Claim.

20 Q. It could not have impacted the timing of the review  
21 because it was no longer part of the submission.

22 A. Well, it had already impacted the timing because it  
23 was a deficiency. We had to write and request the data because  
24 the Applicant addressed that deficiency by removing the use  
25 Claim. That still didn't--it still took time to go through the

16:06 1 deficiency process.

2 Q. Sorry. I was asking as of September 7, 2000, going  
3 forward from there, it was no longer an issue.

4 (Pause.)

5 I'm sorry, can you say yes.

6 A. Yes, yes.

7 Q. If yes is your answer.

8 A. Yes.

9 Q. The tank mix issue, Paragraph 39, in the middle of  
10 this paragraph, you say, "The Applicant also made requests for  
11 changes to the formulation and for the addition of new  
12 combination (tank mix) claims to the proposed label."

13 Do you see the sentence I'm referring to?

14 A. Yes, I do.

15 Q. And if I understand it correctly, a tank mix Claim  
16 would allow a seed treater to combine two registered products  
17 into a tank and apply them simultaneously, as though they were  
18 one product; is that right?

19 A. Yes.

20 Q. Okay.

21 And in the case of Gaucho CS FL, the tank mix Claim  
22 that was proposed was that Gaucho CS and Gaucho 480 would be  
23 combined and that would give you a higher rate of insecticide;  
24 is that your understanding?

25 A. Yes.

16:07 1 Q. Okay. For--

2 A. Without getting a higher rate of fungicide.

3 Q. Exactly, okay.

4 And your evidence was that this tank mix issue was one  
5 of the elements that caused the submission to take longer to  
6 review and approve.

7 A. Well, it was a new request, a new Claim. Potentially,  
8 there were data that needed to be reviewed--submitted and  
9 reviewed to support that Claim.

10 Q. Okay.

11 If we go to SC-57, this is--and it's not a great copy,  
12 so I apologize for that. This is in October--do you have the  
13 SC-57? I'm sorry.

14 A. I was actually going to Paragraph 57.

15 Q. Oh, I'm sorry. It's Exhibit C-SC-57.

16 So, this is an October 26, 2000, letter, from  
17 Gustafson to PMRA.

18 Do you see the letter?

19 A. Yes, yes.

20 Q. It's not clear.

21 A. No, it isn't.

22 Q. But at the very bottom of this, so Gustafson is  
23 describing the tank mix issue, and then at the very bottom they  
24 say: However, we do not wish for this tank mix submission to  
25 hinder the progress of Submission Number 2000-0706 for

16:09 1 registration of Gaucho CS Flowable.

2 And as we flip over: Formulation in any way.

3 So, Gustafson was saying, we'd would like a tank mix  
4 approval, but do not let this hinder the application of the  
5 Gaucho CS submission.

6 I have a question, but I'll take you first to another  
7 exhibit, and that's SC-49.

8 Now we are at February 21, 2001. This is Gustafson  
9 writing to the PMRA. And if we flip to the second page, and  
10 I'm in the first paragraph: If for some reason it is not  
11 possible--towards the ends of that paragraph--

12 A. Which page again?

13 Q. I'm sorry. It's Page 2 of SC-49.

14 A. Right.

15 Q. So, up at the top, the paragraph starts: The  
16 submission that included.

17 A. Um-hmm. Yes, I see that.

18 Q. And I'm actually in the last sentence of that  
19 paragraph.

20 A. All right.

21 Q. "If for some reason it is not possible to process the  
22 two submissions side by side, Gustafson would like to proceed  
23 with the low rate only of Gaucho CS FL, although we prefer to  
24 proceed with the tank mix label."

25 So when they talk about two submissions here, one is

16:10 1 for Gaucho CS and the other is for the tank mix option, if I  
2 can shorthand it that way.

3 Do you agree that that's what this paragraph is  
4 talking about?

5 A. Just a second. I will read it.

6 Q. Sure.

7 (Witness reviews document.)

8 A. Yes, I agree that's what's going on there.

9 Q. And you'd agree with my summary, I think, that these  
10 two letters are saying it would be great if we could get the  
11 tank mix option, but please do not allow the tank mix option to  
12 hold up the main Gaucho CS submission.

13 Is that what these letters are saying?

14 A. It appears so, yes.

15 Q. Okay.

16 A. Yes.

17 Q. So, I guess there is one of two consequences: Either  
18 the tank mix option did not slow down the approval of Gaucho CS  
19 or the PMRA ignored those requests. It has to be one or the  
20 other. Is that fair?

21 A. Well, considering the tank mix solution addressed the  
22 problem that originally the submission came in with two rates  
23 of Gaucho CS to increase the rate of insecticide, and this was  
24 a solution so that when the rate of insecticide was raised; the  
25 rate of fungicide was not raised, there already had been some

16:12 1 discussion, a lot of discussion back and forth looking for the  
2 solution, so that took time.

3 Q. Okay.

4 So, if we go--I appreciate that clarification.

5 If we go to SC-48. This is a letter from PMRA dated  
6 February 15, 2001, to Gustafson. And on the second page of  
7 that--sorry. The third page, the top of which is Attachment 1,  
8 Deficiency Review Notes, there is section part one label, and  
9 there is a section in the center there "required data": If the  
10 petitioner wants to register the higher application rates,  
11 thiram and carbathiin, supporting residue and environmental  
12 data would be required.

13 A. Where are you again?

14 Q. Sorry. Required data. It's in sort of the middle of  
15 the page under part one, Label.

16 A. Okay. Yes, sorry. Thank you.

17 Q. If we go--and so this was a Deficiency Letter from  
18 February 15th, 2001, to Gustafson, and then if you flip to  
19 SC-85. This is an attachment to your second Affidavit, but  
20 it's actually the response to this letter.

21 A. SC-85.

22 Q. Yes.

23 This is an e-mail dated February 21, 2001, from  
24 Gustafson to Sean Muir.

25 Do you have the e-mail? It's SC-85.

16:14 1 A. Right. It's to Chantelle Fortier.

2 Q. Yes.

3 A. And copy Sean Muir.

4 Q. Right, yes.

5 And halfway through that paragraph--so he's--this  
6 letter is in response to PMRA's February 15th letter--there is  
7 a paragraph, second paragraph: We do not want the rate issue  
8 to interfere with the registration of this product. Most of  
9 the use of this product will be at the low end of the rate of  
10 1400-milliliters per 100kg, and if necessary, the label can be  
11 revised to only include the low rate.

12 So, he's addressing there, I believe, the rate issue  
13 that you mentioned; is that right?

14 A. He is addressing the rate of insecticide issue here,  
15 yes.

16 Q. His letter is in response to the February 15th letter  
17 from PMRA, and that's where we were just looking at, SC-48, and  
18 that required data section in the middle of the page, that was  
19 talking about fungicides; correct?

20 A. Yes, it is talking about fungicides.

21 Q. Okay. In this e-mail you're saying he's not talking  
22 about fungicides?

23 A. In this e-mail he's talking about--oh, okay.

24 Q. He concludes this--sorry to interrupt--"My response to  
25 your letter does not give any new data. I believe that the

16:16 1 issues raised in the Deficiency Letter have been addressed."

2 A. Okay. And your question is?

3 Q. You made the comment that the initial submission had  
4 differing rates of fungicides. Was that the statement you had  
5 made?

6 A. No. I think the proposal was for two rates of the  
7 combined product when the higher rate was applied, and I think  
8 the goal of applying the higher rate was to have a higher rate  
9 of insecticide because it was a product formulated with the  
10 fungicide active ingredients. Inadvertently, the rate of  
11 fungicide was raised, where that had not been reviewed and was  
12 not actually proposed or needed or--it wasn't--it had not been  
13 reviewed. So that would have been a rate of fungicide higher  
14 than the rate that was currently in the use pattern.

15 So, two rates of Gaucho which is a product formulated  
16 with fungicide and insecticide. The aim of the higher rate was  
17 to control the insecticide pests that were claimed on the  
18 label, that also because it's a product formulated with  
19 fungicides increased the rate of fungicide.

20 Q. And when they introduced the tank mix option, that was  
21 so that they could achieve a higher rate insecticide if they  
22 needed it while keeping the lower rate fungicide.

23 A. While not raising the rate of fungicide.

24 Q. While not raising the rate of the fungicide. Okay.

25 Let's take a break from dates and go back to para 22

16:19 1 of your Affidavit.

2 Here you're talking about the Voluntary Withdrawal  
3 Agreement.

4 The purpose--to oversimplify, the purpose of the  
5 Voluntary Withdrawal Agreement was to address the lack of  
6 harmonization between Canada and the U.S. It was driven by  
7 this trade irritant issue that lindane-treated canola seed was  
8 being sent to the U.S., and lindane didn't have a  
9 tolerance--wasn't registered in the U.S. for canola and didn't  
10 have a tolerance for canola. Is that a fair summary of the  
11 genesis of the withdrawal, as you understand it?

12 A. Well, I wasn't really involved in the negotiation of  
13 this Voluntary Withdrawal Agreement, and the purpose--I think  
14 you'd be far better discussing that with Dr. Claire Franklin,  
15 who was involved in that process.

16 Q. At the end of Paragraph 22, you say--well, maybe let's  
17 start partway through, maybe at the beginning. "The first  
18 category lindane-free products consisted of currently  
19 registered products which were co-formulations of lindane along  
20 with fungicide active ingredients."

21 And then if we go to the end, "the Claimant's product  
22 of this nature, Vitavax Fungicide, was granted--amended  
23 registration on May 3rd, 1999."

24 You agreed earlier, I think, that the fungicides and  
25 Vitavax Fungicide were thiram and carbathiin?

16:20 1 A. All right, but I would need to look at the  
2 specifications of that product.

3 Q. So, you--fair enough.

4 You have this paragraph in your evidence, but you  
5 weren't really involved in this activity, either the voluntary  
6 withdrawal or the registration of Vitavax Fungicide; is that  
7 right? Were you involved in the registration of Vitavax RS  
8 Fungicide?

9 A. No, I wasn't.

10 Q. No, okay. In Paragraph 23, you start that paragraph,  
11 "the second category replacement products"--this is still  
12 talking about the Withdrawal Agreement--"the second category  
13 replacement products were replacement products in which a  
14 different insecticide active ingredient was registered as an  
15 alternative to lindane."

16 Were there any lindane only products on the market for  
17 canola--insecticide only lindane product on the market for  
18 canola? Do you know?

19 A. No, I don't know.

20 Q. Okay. Paragraph 32, you--at the time were Section  
21 Head of the Insecticide Section, "I oversaw the work carried  
22 out by my colleague Jeff Parsons."

23 Was Jeff Parsons making all the major scientific  
24 decisions on Gaucho CS? Was he the scientific lead on Gaucho  
25 CS?

16:22 1 A. No, he was evaluating the insecticide efficacy and  
2 value data, so he was looking at the data package supporting  
3 the insecticide use claims that were on that label, and  
4 assessing whether or not the product performed as claimed--as  
5 proposed.

6 Q. For Gaucho CS?

7 A. That's right.

8 Q. Okay. And was he performing a similar function for  
9 Helix? Do you know? The Helix submission.

10 A. I believe so.

11 Q. You believe so.

12 A. Um-hmm.

13 Q. Was he involved in the Lindane Special Review?

14 A. I don't know.

15 Q. You don't know, okay.

16 He was--was he within your group? It didn't sound as  
17 though he was reporting to you.

18 A. He was. He was either my colleague, and I was  
19 peer-reviewing his assessment of the efficacy and value data,  
20 or I was his Section Head and I was again peer-reviewing and  
21 approving his assessment.

22 Q. If we go to Paragraph 54, you say here, "Gaucho 75 was  
23 first registered in the U.S. on November 18, 1994, and the  
24 Claimant therefore had several years to develop an all-in-one  
25 version of this product."

16:24 1           Gaucho, the insecticide, was registered in the U.S.  
2 Is it fair to assume that the fungicide needs of canola in the  
3 U.S. might be different than the fungicide needs of canola  
4 grown in Canada?

5       A.    I have no idea.

6       Q.    Fungicides are not you not your thing. You're an  
7 insecticide person?

8       A.    That's right.

9       Q.    Okay. We're going to start to get into the Helix  
10 submission a bit. I would like you to turn to SC-22.

11      A.    Paragraph 22 or Attachment?

12      Q.    Exhibit SC-22.

13            This is a letter from Claire Franklin to Mr. Ingulli  
14 of Crompton June 21, 2000. This is part of that correspondence  
15 that you were talking about earlier, and Crompton/Gustafson had  
16 asked for priority review.

17            She says, "given your"--if we go to the second page,  
18 "given your request of April 20, 2000, another request that we  
19 received, we did investigate the possibility of opening the  
20 door again to Registrants with respect to lindane replacements  
21 outside the Joint Review program. Given our current workload  
22 and the request to accommodate a variety of similar requests,  
23 it was determined that no additional special consideration  
24 could be given."

25            I would like you now to have open nearby your second

16:26 1 Affidavit, and let's start with Exhibit SC-74.

2 That page is the submission status history for the  
3 original Helix submission; is that right?

4 A. I believe so, although it could be for the  
5 thiamethoxim--yes, it was for the original CS-submission.  
6 There was a sister submission for Thiamethoxim Technical.

7 Q. And that'll be SC-75?

8 A. Um-hmm.

9 Q. This submission status history shows that the original  
10 Helix submission was rejected March 27, 2000; is that right?

11 A. That's right.

12 Q. There were issues, and maybe we will talk about them  
13 in a bit more detail, but as of March 27, 2000, the original  
14 Helix submission has been rejected. And if I could ask you to  
15 flip to Exhibit SC-78, this is the submission status history  
16 for the subsequent Helix submission that came in later that  
17 year with a new occupational exposure study; is that right?  
18 The second Helix submission.

19 A. Yes.

20 Q. Okay, and it looks like the process started--it was  
21 filed September 8, 2000; is that right?

22 A. Yes.

23 Q. Okay. If we go back to SC-22, the exhibit, not the  
24 paragraph, when Dr. Franklin is writing to Mr. Ingulli on  
25 June 21, 2000, "Helix has been rejected, and the Gaucho CS FL

16:27 1 submission is the only lindane replacement submission that the  
2 PMRA has in its hands;" is that right? There is no other  
3 lindane replacement product being considered by the PMRA for  
4 canola?

5 A. I'm trying to remember what the registration dates  
6 were for Gaucho 75ST and for Gaucho 480.

7 Q. Okay. I will give you a different question so you are  
8 more comfortable with the answer. This was the only all-in-one  
9 insecticide-fungicide application--submission for canola that  
10 the PMRA was considering at this time. If--you're wondering  
11 when 480FL had been completed? Is that your...

12 A. That's right.

13 Q. Okay. Well, 480FL was insecticide only.

14 A. That's right.

15 Q. So, if we are looking at combination  
16 insecticide-fungicides, this was the only insecticide-fungicide  
17 lindane replacement that PMRA had in its hands in June 2000.

18 A. Well, actually I can't say that with certainty. I  
19 don't know what other submissions there were.

20 Q. You don't know whether there were other possible  
21 lindane replacements being considered by PMRA at this time?

22 A. I think the Premiere Z submission was still open at  
23 that time.

24 Q. In June 2000?

25 A. I believe so.

16:29 1 Q. The PMRA--

2 A. That was withdrawn--I will have to check the date, but  
3 the Premiere Z submission was withdrawn after this point, I  
4 believe.

5 Q. After June 2000?

6 A. I believe so.

7 Q. If we look at Paragraph 20 of your second Affidavit,  
8 you make the statement, the second sentence, third line, "the  
9 Gaucho CS FL application was submitted to the PMRA over a year  
10 after the Helix application was and close to two years  
11 before--close to two years passed before the PMRA received a  
12 complete data package."

13 The first Helix got rejected, as we just saw; correct?  
14 That SC-74 that we looked at--the first Helix submission was  
15 rejected by PMRA in March 2000?

16 A. That's right, after a complete review, the submission  
17 was rejected.

18 Q. And the second one, as we saw, was submitted  
19 September 2000. I thought that was it.

20 A. That's right.

21 Q. Exhibit SC-78.

22 Let's--exhibit--paragraph 57 of your first Affidavit.

23 Actually, let me ask the question: Helix, partway  
24 through, became two products: a low rate and high rate version.  
25 You're aware of that?

16:32 1 A. Yes.

2 Q. Were product chemistry and acute toxicity studies for  
3 the lower rate Helix included in the initial submission?

4 A. Product chemistry and acute toxicity--

5 Q. Toxicity.

6 A. Likely.

7 Q. Even though the product only became two products  
8 halfway through this period. In November '98, there was just  
9 the high rate version; am I correct? The full rate--what  
10 became Helix Xtra; is that right?

11 A. I believe it was what became Helix Xtra, yes. I'm not  
12 sure at this point what the guarantee of the initial  
13 insecticide component for the initial Helix submission was.  
14 There is a name change there.

15 I'm not sure what your question is, to tell you the  
16 truth.

17 Q. My question was, "what was submitted in November '98  
18 with the Helix submission." Helix ultimately became a high  
19 rate version and a low rate version.

20 A. That's right. It was sort of to address the same  
21 issue that was addressed with the tank mix of Gaucho CS and  
22 Gaucho 480. A higher rate of insecticide is required, and in  
23 the case of Gaucho it was addressed by tank mixing with an  
24 insecticide alone product. In the case of Helix, that issue  
25 was addressed by two formulations with the same amount of

16:34 1 fungicide in both the Helix formulation and twice the  
2 insecticide in Helix Xtra.

3 Q. And when you're approving a second formulation,  
4 presumably you need certain types of data to support the two  
5 different formulations?

6 A. Uh-huh, yes, that's your question, yes, yes.

7 Q. And you don't know whether the data submitted  
8 November 1998 supported the two different rates, I believe was  
9 your answer. You weren't sure whether they--

10 A. Well no, actually, I wasn't sure what your question  
11 was. So, you're asking me if in 1998 the data submitted  
12 supported both the low and high application rate of  
13 insecticide.

14 Q. Correct.

15 A. The efficacy data?

16 Q. All of the data. Whatever data would be required to  
17 support formulation one and formulation two.

18 A. It depends what was proposed on the label. If the two  
19 rates were proposed, then yes, the data was submitted.

20 Q. Or it should have been submitted?

21 A. Should have been submitted.

22 Q. Okay, and you don't know what was proposed on that  
23 original label in November '98? No?

24 A. No, I don't.

25 Q. Okay. Fair enough.

16:35 1           Back at Paragraph 17, we described the stages or  
2 levels, and on Page 6--

3       A.    Back--where are we now?

4       Q.    I'm sorry, Paragraph 17 of Affidavit number one.  And  
5 I'm on Page 6, it's the Level E description.

6       A.    Right.

7       Q.    "Level E is decision making prior to enactment of the  
8 new PCPA in June 2006.  The PMRA issued a Proposed Regulatory  
9 Decision Document, PRDD, for all new active ingredients, at  
10 that time a consultation period of up to 45 days ensued from  
11 the date of publication of the PRDD."

12      A.    You're reading this in Paragraph 17?

13      Q.    Yes, your first--it's Level E.

14      A.    Oh, Level E, I'm sorry.

15      Q.    It's on Page 6.

16      A.    Okay, yes, all right.

17      Q.    So, just in a nutshell, it says, "the PMRA issued a  
18 Proposed Regulatory Decision Document PRDD for all new active  
19 ingredients.  At that time a consultation period of up to 45  
20 days ensued from the date of publication of the PRDD."

21           Do you see that passage?

22      A.    Right.

23      Q.    Was a PRDD issued for Helix?

24      A.    No, because the decision was made to grant temporary  
25 registration and a Regulatory Note was registered--was

16:37 1 published instead.

2 Q. And is the temporary registration valid for a certain  
3 period of time? Was it a one-year period, or is it longer than  
4 one-year period, or it depends?

5 A. Temporary registration under the then PCPA was for a  
6 period of one year, but on application could be renewed.

7 Q. Okay. Are you aware whether it was--so this was--it  
8 received the temporary registration in November 2000. Are you  
9 aware whether it received one year renewals for 2001, 2002,  
10 2003, 2004, 2005?

11 A. Yes, an application to extend the temporary  
12 registration would have been made by the Applicant, considered  
13 by the PMRA, and the registration would continue for another 12  
14 months.

15 Q. Okay. In Paragraphs 79 to 80 of your first Affidavit,  
16 and before we get here, those one year extensions we just  
17 talked about for those five years, I think it was, did you file  
18 those on the record?

19 A. Pardon me?

20 Q. The temporary--the extensions, the one year annual  
21 renewals of the Helix temporary registration, the ones for  
22 2001, 2002, et cetera, were those filed on the record?

23 A. Have I referred to them in my Affidavit? Is that what  
24 you're asking me?

25 Q. I'm asking you if you filed the renewals.

16:39 1 A. Do I have them here as an exhibit?

2 Q. I don't believe so.

3 A. No, I don't think I did.

4 Q. In Paragraphs 79 and 80 you're talking about the new  
5 regime, so Helix was transitioned in 2006 to the new--

6 A. A new PCPA act was enacted in 2006.

7 Q. Right. And I believe your evidence is that with these  
8 temporary registrations as they're transitioned, and it's here  
9 at the end of Paragraph 79, "the conditions of registration  
10 were made public by publication of a document known as a  
11 Section 12 Notice in the public registry on the PMRA Web site."

12 A. That's right. Those are--under the new PCPA, we grant  
13 conditional registration for a period of time, not limited to  
14 one year, as it was under our previous Act, and we publish the  
15 conditions of registration in our public registry as a  
16 Section 12 Notice.

17 Was that your question?

18 Q. I'm not sure.

19 But my next question was going to be whether you filed  
20 the Section 12 Notice for Helix?

21 A. A Section 12 Notice would have been filed for Helix.

22 Q. Sorry, would have been filed with the public, but you  
23 didn't file it as part of your evidence. Would you agree with  
24 me? That's okay.

25 A. I don't believe I did.

16:40 1 Q. Okay, fair enough. Let's look at Paragraph 82. I'm  
2 still in your first Affidavit.

3 A. Paragraph what?

4 Q. Eighty-two.

5 A. All right.

6 Q. And here you've said, "the PMRA may choose to consult  
7 publicly but the final regulatory decisions will not be subject  
8 to reconsideration."

9 Actually, let's flip to SC--Exhibit SC-45, and this is  
10 the Regulatory Note that you spoke about for Helix. And if you  
11 just flip, so you've got the cover page for SC-45, and then  
12 there's the forward on the next page, at the end there, the  
13 last paragraph, it says, "Syngenta crop protection will be  
14 carrying out additional toxicology and value studies as well as  
15 a stewardship program as a condition of this temporary  
16 registration. Following the review of this information, the  
17 PMRA will public a Proposed Registration Decision Document and  
18 request comments from interested Parties before proceeding with  
19 the final regulatory decision."

20 You would agree with me that the publication, as I  
21 think you have already agreed, that was never done. The PRDD  
22 was never issued for Helix, because it stayed a temporary  
23 registration until 2006?

24 A. And then it was transitioned to a conditional  
25 registration, and the Section 12 Notice would have been put in

16:42 1 our public registry, indicating what the conditions of  
2 conditional registration are.

3 Q. Did Syngenta file all of that additional data? Do you  
4 know? That first sentence, "will be carrying out additional  
5 toxicology and value studies as well as the stewardship  
6 program."

7 A. I believe the submission to convert from conditional  
8 to full registration is with the PMRA, and that would mean that  
9 this data has been submitted.

10 Q. You're not certain. That's your belief. You haven't  
11 reviewed that data, you haven't seen that data?

12 A. I haven't reviewed that data, no.

13 Q. Still in your first Affidavit, Paragraph 88, "the  
14 value assessment of the fungicide performance stated that the  
15 data supported label claims of control of seed-borne blackleg  
16 and the seedling disease complex." And then you list the  
17 diseases caused by the plant pathogens, et cetera, et cetera,  
18 "but that there were not sufficient data or evidence to  
19 consistently determine that the half-rate of fungicide was the  
20 lowest effective rate necessary or was the lowest rate needed  
21 to provide consistent control under various conditions. This  
22 lack of evidence justified the approval of the full rate of  
23 fungicide."

24 What's the purpose of requiring the lowest effective  
25 rate?

16:44 1       A.    The purpose is to determine the rate that is required  
2   of a pesticide to control the pests, but the lowest rate that  
3   would be effective to do that.  And there would be no value to  
4   having a higher rate of insecticide than was needed, and you  
5   would be introducing higher rates than were necessary into the  
6   environment.

7            So, at the time, the value data were to determine  
8   whether or not the rate that was proposed was the lowest rate.

9        Q.    And so the standard--there is the rate in the  
10   submission, and then the reference to the half-rate is an  
11   evaluation to see whether it's effective at the half-rate?

12       A.    Right.

13       Q.    And if the half-rate is sufficient, then the product  
14   is amended--the formulation is amended so you only have the  
15   half-- that half-rate which is now your rate?

16       A.    Yes, yes, sorry.

17       Q.    In this case, the data was not conclusive, and rather  
18   than requiring Syngenta to obtain conclusive data, they were  
19   allowed to proceed with the full rate?  Is that what this  
20   paragraph is saying?

21       A.    It was--it was common, it was required to have data,  
22   efficacy data, value data, that demonstrated the proposed rate,  
23   and data that examined the fungicide in field trials at the  
24   half-rate so that the lowest effective rate could be  
25   determined.  And it was also quite common, if the lowest

16:46 1 effective rate could not be established, to register the low  
2 rate and require confirmatory data.

3 Q. You said register--

4 A. Pardon me. I'm misspeaking, to register the proposed  
5 full rate and to require confirmatory data at the half-rate.

6 Q. On this issue, was Syngenta required to provide  
7 confirmatory data?

8 A. I would have to go back to the letter granting  
9 temporary registration where all the conditions of a temporary  
10 registration are outlined, and I don't know--I don't believe I  
11 have that here as an exhibit.

12 Q. If we go to paragraph--

13 A. But it would be typically--typical to require that  
14 confirmatory data.

15 Q. If we go to Paragraph 90, now we are into--this is  
16 still in your first Affidavit.

17 A. Right.

18 Q. You're talking about Regulatory Directive 94-04.

19 A. Right.

20 Q. You're talking about Regulatory Directive 94-06.

21 A. All right.

22 Q. And you say, "Regulatory Directive 94-06 provides  
23 guidelines," and I think your--

24 A. That's right.

25 Q. Your earlier comment was, "this Directive is not

16:47 1 necessarily binding on PMRA;" is that right? You don't  
2 consider yourself bound by this Directive?

3 A. Well, it's not a regulation. It's not enacted in law,  
4 but it is a policy, and these are guidelines.

5 Q. You conclude Paragraph 90 by saying--you're  
6 summarizing Regulatory Directive 94-06, and the very last  
7 sentence there says, "canola seed treatments," you're saying,  
8 "Regulatory Directive 94-06 provides guidelines with respect to  
9 the color stands for seed treatment, pest control products and  
10 labeling of treated seeds under the authority of the Seeds Act  
11 and Pest Control Products Act specifies that rapeseed/canola  
12 seed treatments should be light blue," and you reference the  
13 Directive that's at Exhibit SC-67.

14 A. That's right.

15 I think the point I'm trying to make here is that  
16 under the Pest Control Products Act, there is no requirement  
17 that canola seed be light blue. There is only a requirement  
18 under the Seeds Act that treated seed or seed that has been  
19 treated with a pest control product be dyed so that it is  
20 conspicuous. And so our Regulatory Directive provides guidance  
21 on how it can be conspicuously treated because we were  
22 registering the products. Okay?

23 Q. Okay.

24 A. So I just wanted to make that clarification.

25 Q. Thank you for that.

16:49 1           It's just your--it's the paragraph summary 90 of the  
2 Regulatory Directive that I'm interested in, because it says,  
3 "Regulatory Directive specifies that canola seed treatments  
4 should be light blue," and if we go to Exhibit 67--SC-67, the  
5 bottom of Page 3, Section 2.0, "blue coloration standard," the  
6 second sentence says, "all seed treatment dressings intended  
7 for rapeseed/canola must be dyed a distinct baby blue color."

8           So the Regulatory Directive, in fact, is not  
9 permissive, whether the Regulatory Directive are binding--is  
10 binding or not, that's a different question, and I appreciate  
11 your earlier comment, but the directive itself says it must be  
12 dyed blue. You'd agree with me?

13       A.    Yes, yes I would.

14       Q.    Okay.

15       A.    But I would just like to say that, in fact, the  
16 PMRA--yes, it does, okay, fine.

17       Q.    And in 91, Paragraph 91, your first sentence on the  
18 second line, it says, "in 2002, it was generally agreed that  
19 Regulatory Directive 94-06 was out of date with evolving seed  
20 coating practices."

21       A.    Okay. And what I meant by that was these guidelines,  
22 this Regulatory Directive from 94 was based on earlier trade  
23 memorandum, publications, guidance to industry from 1980 and  
24 1986, and by 2002, seed treatment practices had changed, and,  
25 in fact, the PMRA was involved with harmonizing our seed

16:51 1 treatment Regulations with the EPA and were re-considering this  
2 Directive along with other seed treatment Regulations  
3 processes.

4 Q. If we could look at your second Affidavit,  
5 Paragraph 6, this is talking about work-share reviews and Joint  
6 Reviews.

7 A. Paragraph which?

8 Q. Paragraph 6 of your second Affidavit. It's on the  
9 second page.

10 A. All right.

11 Q. I'm not reading from Paragraph 6. Here you're  
12 describing Paragraph 6. You're describing work-share reviews  
13 and in Paragraph 7 you're describing work-share reviews. And  
14 in Paragraph 8, the last line, second last line of Paragraph 8  
15 you say, "Helix was initially considered as a Joint Review but  
16 was ultimately conducted as a work-share." Why was it switched  
17 from a Joint Review to a work-share?

18 A. Well, I wasn't involved in those discussions, but we  
19 were working with the U.S. EPA regarding the registration of  
20 this product. The process that we used initially, we thought  
21 it would be a Joint Review, but the criteria for a Joint Review  
22 perhaps were not met.

23 Q. You're not certain why it was switched from one to the  
24 other?

25 A. I wasn't involved in that discussion.

16:53 1 Q. Okay, all right, fair enough.

2 Paragraph 9, you make a reference to the development  
3 of resistance to organophosphates and organochlorine  
4 insecticides. If we--

5 A. Paragraph 9?

6 Q. Sorry. Paragraph 9, yes.

7 In 1999, had flea beetles developed their resistance  
8 to lindane?

9 A. No, but Helix and thiamethoxim. Helix is just one of  
10 the end-use products that were proposed in this work-share,  
11 there were others as well for other use sites, Actara and  
12 Viridian, I believe. These were proposed for foliar use on a  
13 number of other use sites. In fact, resistance in Colorado  
14 potato beetle was a bigger concern at the time. The point I'm  
15 trying to make is thiamethoxim was proposed for a number of  
16 use, and OP replacements were required because organophosphates  
17 were under re-evaluation or soon to be under re-evaluation, and  
18 insecticide alternatives for a number of different use sites  
19 were required.

20 Q. Fair enough, so--

21 A. No.

22 Q. This paragraph doesn't really address lindane in any  
23 way or the potential of a resistance in insects to lindane?

24 A. No.

25 Q. No. And based on the way Helix works, in fact, if

16:54 1 you're going to compare Helix to lindane, Helix--flea beetles  
2 are more likely to develop resistance to Helix than lindane,  
3 would they not, because Helix is a single mode of action? I  
4 hope we don't have to get into what mode of action means, but  
5 is that a fair statement? You're not sure?

6 A. I think it's beyond the discussion here.

7 Q. And you don't have an opinion on whether flea beetles  
8 are more likely to develop a resistance to Helix than to  
9 lindane?

10 A. No, I don't.

11 Q. Okay. Let's go to the end of your second Affidavit.  
12 You have prepared an appendix--Appendix A, which starts at  
13 Page 12. And here you've prepared a detailed submission  
14 history; correct? For Gaucho CS.

15 A. Yes, I have.

16 Q. And I believe you agreed with me when we looked at  
17 these dates, it was submitted--the submission in March--on  
18 March 27, 2000, it ultimately--well, PMRA responded to  
19 Gustafson with a Deficiency Letter July 27, 2000. So to get  
20 the initial checklist review was 118 days for Gaucho CS.

21 A. The original screening review, yes.

22 Q. Right, okay.

23 If we can flip and if you can hold your hand in that  
24 page so we don't lose it, but if you could flip to SC-74,  
25 Exhibit SC-74, this is the Helix--the original Helix

16:56 1 application, and if you'll--if you will accept my math, it was  
2 submitted November 25th, and it passed the B screening, the  
3 checklist review, December 18th, so 23 days. Does that look  
4 about right, give or take?

5 A. I think your math is good.

6 Q. Okay. And I know you looked at the Helix file but not  
7 the Helix submission, but for a new insecticide--I'm just  
8 trying to get a sense of what would have been involved with the  
9 actual submission. There would have been several quite  
10 significant studies with the Helix submission; is that fair?

11 A. There would have been a full data--supporting database  
12 required for a new active ingredient and end-use product.

13 Q. Which would be significantly greater than for a new  
14 formulation in terms of the number of studies--the complexity  
15 of studies; correct?

16 A. Yes.

17 Q. Okay. If we look at your table, and if we flip to  
18 Page 15 now, the box February 15, 2001, PMRA sent a letter to  
19 Gustafson, informing them that the submission is incomplete and  
20 provided a Deficiency Note, and you will see there Gustafson  
21 responded February 21 and then February 21 and 27, on the next  
22 page, there is a box with additional things that were provided.

23 Then, PMRA reviews that data from--February 27 date  
24 actually appears to be incorrect. I think it's actually just  
25 February 21st, but it doesn't make much of a difference.

16:59 1 A. Pardon me, I don't understand the last thing you're  
2 saying.

3 Q. Oh, that box on the top of Page 16 says February 21  
4 and February 27, on February 21st, Gustafson supplied a letter,  
5 and then if you look at Footnote 46, that February 27 date is  
6 actually an internal PMRA document. So, my only comment was  
7 that Gustafson provided some information on February 21st and  
8 not February 27th. That was my only comment.

9 And let's look at that February 15 letter again, which  
10 is SC-48, Exhibit SC-48. This is PMRA writing to Gustafson,  
11 February 15, 2001. And if we go to the Attachment 1 as the  
12 list of deficiencies, so that middle part we read that required  
13 data before if the petitioner wants to register the higher  
14 rates of thiram and carbathiin, additional data will be  
15 required.

16 The next section says PRMA is looking for a storage  
17 stability study.

18 Then if we turn the page and actually look at the next  
19 page, so there is information on this Page 4, but Page 5 says  
20 the required data, submit complete Trial Reports for future  
21 submissions, and then they ask for a couple of other items  
22 there.

23 And Gustafson responded--well, CS-49 is the very next  
24 exhibit.

25 And they're really saying here, apart from the storage

17:01 1 stability study which will be submitted, they have given  
2 clarification was everything else. They have dropped certain  
3 things and described other things, but no data was provided.  
4 Is that--I know we sort of flipped through it, but this is your  
5 exhibit.

6           Would you agree with my summary?

7       A.    Okay. It withdrew the high rate of Gaucho CS, so they  
8 didn't need to support it with any fungicide residue data--

9       Q.    Right.

10      A.    --and efficacy data.

11           They provided efficacy data for Gaucho CS, tank mix  
12 with Gaucho 480, and a revised label so that those use claims  
13 could be reviewed.

14      Q.    It's in the nature of dropping some claims, as you've  
15 said, and clarifications; is that right?

16      A.    That's right, so it's Gustafson's response to the  
17 deficiencies that went out, and we were addressing all the  
18 deficiencies that were in the Deficiency Note.

19      Q.    Right.

20           That was filed February 21, and then there is nothing  
21 else from PMRA until an April 23rd, 2001, fax.

22           Correct me if I'm wrong, this term "Clarifax," that's  
23 when PMRA requests clarification data, so not new data--I  
24 shouldn't say clarification data, not new data, but  
25 clarification of what has been filed.

17:03 1 A. That's usually sent to the Applicant when evaluators  
2 are going through the data at Level C, the preliminary review,  
3 and the review the data, and there are questions regarding  
4 something that they find in the data, and they send the fax  
5 asking detailed questions, sometimes numerous questions  
6 regarding the data, to the Applicant and asks for their  
7 response.

8 Q. And those clarification faxes, according to the MOSP,  
9 don't stop a review. The review keeps on going. It's not just  
10 put on hold because of those.

11 A. Often, yes--yeah, you're right, they don't stop the  
12 review. The Applicant is given a short period of time to  
13 address those need for clarification.

14 Q. Okay. So April 23rd, 2001, PMRA asks for a honeybee  
15 study, if you will believe me that that's what that letter asks  
16 for. The next day, Gustafson provides the honeybee study.

17 And May 1st, we go into the Level D review. Do you  
18 see that there?

19 A. Yes, I do, um-hmm.

20 And the need for the honeybee study would have been  
21 established during the preliminary review. It was  
22 conditionally required data, determined at Level C that it was  
23 required and requested.

24 Q. Obviously, it was sufficient because a week later they  
25 move into Level D--sufficient to move into Level D.

17:04 1           It's these dates I next wanted to ask you about  
2 because we are in queue Level D as of May 1st, 2001, and then  
3 Level D-1 is started April 25th, 2002, so it's 359 days, give  
4 or take, before the Level D is started.

5           And if I understood your answer earlier, there would  
6 have been different evaluators or teams responsible for this  
7 Level D review.

8       A.    Um-hmm.

9       Q.    At least--do you know for this kind of submission how  
10 many teams or evaluators there would be?

11       A.    You could tell by the data that are required, there  
12 would have been a tox evaluator, there would have been an  
13 exposure evaluator, there would have been an efficacy  
14 evaluator. In fact, there would have been two efficacy  
15 evaluators, one evaluating the insecticide efficacy, one  
16 evaluating the fungicide efficacy. Food residue evaluator.  
17 There would have been an environmental fate and environmental  
18 toxicity evaluator.

19           There are data to address all those different aspects  
20 of the product and its potential impacts. A risk assessment  
21 would have been done in all those areas.

22       Q.    All those evaluators, even here when all of actives  
23 had already been approved for these uses, for these diseases  
24 and uses?

25       A.    I believe so, yes, yes, there would have, and--yes.

17:06 1           And there would have been a chemistry evaluator as  
2 well.

3       Q.    The vast--

4       A.    There are data in all those areas that were submitted  
5 to support this new formulation and the new-use claims, and the  
6 tank-mix-use claims. So, all that data needs to be evaluated  
7 and a risk assessment, an integrated risk assessment, carried  
8 out.

9       Q.    Where Gustafson--if there was no new use and Gustafson  
10 has provided evidence here that there was no new use, that was  
11 an error by PMRA, that would eliminate a team? You mentioned  
12 use. That's why I was confused on that point.

13       A.    But this is a new use. This is a new product, and  
14 efficacy data were provided, both fungicide and insecticide  
15 efficacy data, on the performance of this formulation.

16       Q.    Right.

17       A.    There would have been acute tox data. There  
18 definitely were environmental fate data.

19       Q.    Would there be the same number of teams or more for  
20 the Helix submission?

21       A.    Those elements would be--

22       Q.    It's the same elements for both?

23       A.    Yes. Would have to be evaluated for that new  
24 technical active ingredient and end-use product, as well.

25       Q.    So, one or more teams did not start their review for a

17:08 1 year after this product reached Level D; is that right? 359  
2 days.

3 A. One or more members of the team.

4 Q. Okay. That delay could not have been attributable to  
5 Gustafson, once we are in Level D and it's the Level D review.

6 A. That's right.

7 Q. Okay. And once that one or more final team started to  
8 review, we see there in that same box, it passed Level D 20  
9 days later, May 15, 2002.

10 (No response.)

11 Q. I believe in your prior answer you may--

12 ARBITRATOR CRAWFORD: What is your answer?

13 THE WITNESS: I didn't realize it was a question.

14 BY MR. BEDARD:

15 Q. I was just seeking your confirmation that once the  
16 final one or more teams began the review in Level D, it passed  
17 Level D in 20 days. That's what this box is telling us that  
18 you have prepared; is that right? Do I understand it  
19 correctly?

20 A. Right.

21 But it's not teams. It's the whole--it's an evaluator  
22 in each of those areas, just to clarify, and one or more  
23 evaluator had not started by May 1st.

24 Q. But whichever--

25 A. But the other ones may have started and carried out

17:09 1 their review during that time.

2 Q. Right.

3 And whichever one or more evaluators were missing  
4 completed their part of the review in 20 days?

5 A. That's right.

6 It could have been the chemistry reviewer.

7 Q. Environmental fate data would have been required for  
8 this product which consisted of active ingredients already  
9 registered for use on canola and mustard for diseases that had  
10 already been registered, pests and diseases that had already  
11 been registered?

12 A. Well, yes.

13 And, in fact, Gustafson addressed that by providing  
14 data on honeybees and on groundwater monitoring. Groundwater  
15 monitoring would be environmental fate.

16 Q. I believe in that last answer you may have  
17 mentioned--you may have said that this review involved new  
18 active ingredients, but I just want to clarify for the record,  
19 for Gaucho CS FL, there were no new active ingredients;  
20 correct?

21 A. It was a new end-use product.

22 Q. There were no new active ingredients?

23 A. No. Imidacloprid and the fungicide components were  
24 registered.

25 Q. Okay. In the Level I review or stage for Gaucho CS

17:11 1 FL--now we are on Page 17, we start Level I, and this is the  
2 final box, June 6th, and it's completed July 23rd.

3 A. Actually, we start Level I in May when we would have  
4 provided Gustafson with the annotated corrected draft label.  
5 So, we were asking Gustafson to provide us with a label  
6 corrected as a result of our Risk Assessment. So we would have  
7 provided that. It went "I pending label," "Gustafson, please  
8 make these changes to your originally proposed label." We  
9 require them as a result of our Risk Assessment and our review.

10 Then Gustafson came back in when the submission--when  
11 the submission went I in queue, that indicates, in fact, the  
12 label had returned to the PMRA for our review.

13 Q. Okay. So, from June 6th to July 23rd, your box says  
14 47 days, that's a label review?

15 A. That's a label review.

16 Q. It took 47 days to review the label?

17 A. Yes.

18 Q. Okay. If I can actually ask you very quickly to look  
19 at Exhibit CF-25 to the Claire Franklin Affidavit. If you give  
20 me one moment, it's a letter dated November 18, '98. It's  
21 Tab 57 of the Joint Hearing Bundle. It's a November 18, 1998,  
22 letter from Claire Franklin to Mercia Moki (ph.). Do you have  
23 that?

24 A. Yes, I have it.

25 Q. We were looking at this letter earlier. If we flip to

17:13 1 the second page, it says, "You are probably aware that our  
2 respective staffs have a meeting with Novartis Canadian and  
3 U.S. representatives over several months." And this is about  
4 Helix.

5 A little further down, "There has been a great deal of  
6 consultation and planning invested in this initiative. The  
7 objective is harmonized registration decisions for Helix and  
8 thiamethoxim in a timely fashion, i.e., December '99,  
9 January 2000. Clearly, this is an ambitious objective with  
10 tremendous positive potential which merits our full support."

11 Would you agree that within PMRA a lot of resources  
12 were devoted to the registration of Helix? That's certainly  
13 what Dr. Franklin is saying in this letter to EPA.

14 A. It's true.

15 Q. It's true, okay.

16 If we could just look at exhibit--the Helix time  
17 lines, and let's start with Exhibits SC-74, which we had open  
18 earlier, which was the submission status history. And just to  
19 make one clarification, these are--these and the other Helix  
20 documents we are about to look at are a printout from a PMRA  
21 database of the status history; correct?

22 A. That's right.

23 Q. And the Appendix A that you prepared with respect to  
24 Gaucho CS had a lot of additional entries with data  
25 clarification and so forth.

17:15 1           If you had prepared a similar time line for Helix,  
2 there would have been a lot of entries similar to that for this  
3 as well? It's just that the two documents are different  
4 documents.

5       A.   Yes, yes, you're right. The Gaucho would have been a  
6 bit longer, Gaucho CS, because they were three Levels B and two  
7 Levels C.

8       Q.   And Helix would have certainly been a lot longer than  
9 this summary we are looking at now with data clarifications and  
10 so forth?

11      A.   Pardon me?

12      Q.   The Helix history would have been longer than this one  
13 we are looking at now if it included all of the correspondence  
14 and data clarifications. You think there might not have been  
15 any data clarification with Helix?

16      A.   I wasn't saying that there isn't. That's speculation.

17      Q.   You don't know?

18      A.   I don't know.

19      Q.   You don't know, okay.

20           If we look at the status here, and you go a few rows  
21 down, Level C goes in queue December '98. And then it,  
22 according to this, it's never started, completed or passed, and  
23 then we get to Level D. Can you explain that? It's unusual,  
24 isn't it? We would expect to see a pass and completion of  
25 Level C before we get to Level D.

17:16 1 A. Well, actually, no. This was printed from our  
2 database, and evaluators don't always put their status in, and  
3 coordinators are always having to ask them, especially at that  
4 point, asking them to put the proper status in, so...

5 Q. Okay. So, this document must be incomplete, is what  
6 you're saying?

7 A. No, I'm--

8 Q. Is it possible to get from Level C to Level D without  
9 Level C having been passed?

10 A. No, Level C passed. It would have had to pass a  
11 preliminary review to move from Level C to Level D.

12 Q. And Level D--this is the fifth entry--

13 A. Okay, go ahead.

14 Q. I'm sorry, did you want to finish?

15 A. No.

16 Q. Level D, the fifth line started or put in queue,  
17 rather, March 19, 1999. It gets completed--I'm looking at Row  
18 7--August '99. And then Row 8 Level, D is in queue again  
19 September 22nd, 1999.

20 Wouldn't standard policy--so, do you have any  
21 explanation of why we have two Level D's?

22 A. No, I don't.

23 Q. Okay. Would one reason be that there was some major  
24 deficiency that prevented moving on past Level D?

25 A. No, that's speculation, same as my speculation that

17:18 1 one evaluator hadn't flipped it from D to--C to D, a similar  
2 type of speculation.

3 Q. Okay. Normally, if you had a second Level D, you  
4 would have had to have gone back to B and C to get to D?

5 A. That's right, but I wouldn't infer from this that that  
6 happened.

7 Q. Okay. We can't really tell much from this, I guess,  
8 your answer is that's correct? It's of limited use, given what  
9 you're saying? You have no explanation for the jump from C to  
10 D or in the second D?

11 A. No, no.

12 Q. Do you know whether the March 27, 2000, rejection date  
13 would be correct?

14 A. Yes, that is correct.

15 Q. That is correct.

16 If we go to your Paragraph 49--keep this page open, if  
17 you could--Paragraph 49 of your first Affidavit. I'm  
18 summarizing this paragraph, but PMRA and Syngenta met to  
19 discuss occupational exposure and occupational exposure  
20 reduction strategies in mid December '99. The PMRA provided  
21 Syngenta--I'm in the middle of the paragraph here. The PMRA  
22 provided Syngenta with a list of further information that it  
23 wished to see. That was mid December '99.

24 It looks like, to the extent we can rely on that  
25 exhibit, that this meeting happened during Level D. Does that

17:20 1 sound right?

2 A. I think that's speculation.

3 Q. You don't know, okay.

4 Do you know whether PMRA's concerns about Syngenta's  
5 occupational exposure were major? They were serious?

6 A. What I do know is that we were able to complete our  
7 Risk Assessment at Level D and make a decision to reject the  
8 product as a result of that Risk Assessment, and ask for the  
9 refined Exposure Assessment.

10 Q. As a result of these meetings and correspondence in  
11 December '99, do you know whether Syngenta was told that its  
12 occupational exposure was going to be unsatisfactory?

13 A. No, I wasn't at those meetings.

14 Q. Okay. If we look at Exhibit SC-77, just a couple of  
15 tabs ahead, this is a PMRA letter to Syngenta January 27, 2000.  
16 And if you look at the second paragraph, Syngenta applied for a  
17 Research Permit on January 10th, 2000.

18 Do you see that?

19 A. Yes, um-hmm. Yes, I see that.

20 Q. Okay. So, just keeping in mind the time line that we  
21 were looking at, it was still--its submission hadn't been  
22 rejected yet, but it is--because it was rejected March 27,  
23 2000--it appears as though it's still somewhere in Level D in  
24 January 2000--but it's applied for a Research Permit to conduct  
25 a new occupational exposure study.

17:22 1           Would you--do you know, was Syngenta told your  
2 occupational exposure study that you filed with the initial  
3 submission is unacceptable?

4       A.    It was eventually--Syngenta was eventually told that  
5 because the submission was rejected, and our Risk Assessment  
6 concluded that the surrogate exposure study did not--was not  
7 adequate. The surrogate exposure study, the original exposure  
8 study that was submitted.

9       Q.    Going by the dates in this letter, and you can look at  
10 the second page of this letter, "Your request for a Research  
11 Permit has been granted." So, Helix--Syngenta applied for a  
12 Research Permit.

13       A.    Well, it is--it was and is quite common that Research  
14 Permits are applied for and granted for research to be  
15 conducted before a submission is made to register a new  
16 technical active ingredient and during the submission process.  
17 Researchers, applicants, and manufacturers do apply for  
18 Research Permits throughout the process, before the process, to  
19 develop data to support ongoing and subsequent submissions to  
20 register.

21       Q.    Right, understood.

22            Is it common for a Research Permit application to be  
23 approved in 17 days? Is that standard?

24       A.    It's not standard. There are time lines provided in  
25 our Research Permit guidelines, but typically Research Permits

17:24 1 are applied for early in the season, and there is some urgency  
2 for researchers to get started on research in the growing  
3 season, and there is typically a lot of submissions for  
4 Research Permits completed in a short time, yes.

5 Q. Not many--

6 A. Now and then.

7 Q. Not many would be completed in 17 days?

8 A. Pardon me?

9 Q. Not many would be completed in 17 days?

10 A. I wouldn't say that.

11 Q. No?

12 A. I would not say that your statement is correct. In  
13 fact, there are a flood of Research Permit applications in  
14 early January, late December applying for planned research for  
15 the growing season which can begin as soon as March. Every  
16 year the PMRA has a large number of Research Permit  
17 applications, and they usually or they often are accompanied by  
18 requests to get the Research Permit completed, application  
19 completed as soon as possible so researchers can get in the  
20 field and conduct the application. And conduct the research,  
21 sorry.

22 Q. The second SC-75, Exhibit SC-75, is the submissions  
23 status history for Thiamethoxim Technical; is that right?

24 A. Yes, yes.

25 Q. I anticipate your answers, but I will ask: Level C we

17:26 1 have an entry for in queue but it's never completed or passed.  
2 Then we have two Level D's without it being sent back for a  
3 Level B screen and a Level C review.

4 I take it from your earlier response that you can't  
5 explain those events?

6 A. Not really, no.

7 Q. Okay. If we flip ahead to Exhibit SC-78--and I  
8 promise we are almost at the end--this is the submission  
9 history for the final Helix, the product that was ultimately  
10 approved; is that correct?

11 A. Yes.

12 Q. Okay.

13 A. I'm not sure whether this is for the--I can't tell by  
14 the submission number whether this is for Helix or Thiamethoxim  
15 Technical.

16 Q. I think if we go back to your evidence, and I think  
17 it's worth confirming--

18 (Witness reviewing document.)

19 PRESIDENT KAUFMANN-KOHLER: Can you just remind us  
20 what product was the history of SC-75.

21 MR. BEDARD: SC-75 was for Thiamethoxim Technical, so  
22 the active ingredient.

23 PRESIDENT KAUFMANN-KOHLER: Thank you.

24 BY MR. BEDARD:

25 Q. You're not certain whether this is for the final Helix

17:28 1 or for thiamethoxim?

2 A. It's for one or the other. It's probably for Helix,  
3 but I can't tell you from the submission number whether it's  
4 Helix, Helix Xtra, or Thiamethoxim Technical.

5 Q. Okay. If we look at the entries, Level B, it goes in  
6 queue September 8th, started September 12, it's completed  
7 September 12, it passed September 12, and so it gets through  
8 the screening in four days; correct?

9 A. This would have been the second submission. The  
10 Applicant would have been addressing the outstanding  
11 requirement for the refined Risk Assessment, so this  
12 submission, if it is for Helix Xtra, would have had one piece  
13 of data attached to it.

14 Q. Well, the other submission was rejected, so the  
15 submission--

16 A. It was rejected once we had completed a Level D  
17 review, so every aspect of that submission, all the data that  
18 were submitted to the PMRA would have completed and a risk  
19 assessment made in the initial Helix submission. Our Risk  
20 Assessment indicated that the surrogate exposure study was not  
21 adequate to allow a positive registration decision, and a  
22 refined exposure study conducted with thiamethoxim itself  
23 rather than an alternative active ingredient was requested.

24 So, when Syngenta came back in, they were addressing  
25 that one outstanding concern that the PMRA had identified in

17:30 1 its earlier submission. So, although this is not a Cag. A  
2 submission, the data that were submitted with the initial would  
3 have been reviewed, and we would have based our review of this  
4 study on our earlier Risk Assessment. We would have continued  
5 on from there.

6 Q. The screen would have--presumably that checklist for  
7 Category--and it's probably a larger checklist for Category A,  
8 I would think--in this case would have said, "See prior  
9 submission, see prior submission," is what you're saying?

10 A. That's right.

11 Q. Okay. That still requires a screen. Someone has to  
12 verify that all of the elements in the Category A checklist  
13 have been met.

14 A. It would have referred to the previous submission and  
15 our review in that, and it may simply have indicated all that  
16 data were previously submitted and reviewed by the PMRA.

17 Q. If we go on, Level C, which is a preliminary  
18 scientific review, so in this case you're saying it's a  
19 preliminary review of the occupational exposure study only; is  
20 that right? Because that's all there is to this submission.

21 A. Yes, that's all that remains.

22 Q. That Level C review takes three days; is that right?  
23 September 12 to September 15.

24 A. That's what's indicated, yes.

25 Q. And then Level D, which is the full review of the

17:32 1 occupational exposure study, takes, if you believe me, 32 days,  
2 from September 15 to October 17?

3 A. Again, it would be review of one Exposure Assessment  
4 study on that point.

5 Q. Which is not an insignificant study. Someone has to  
6 review it and review the data and make sure they're satisfied  
7 that it meets the PMRA standards; is that right?

8 A. That's right.

9 Q. And Level I, which is the label review--looks like the  
10 labels--like I can't tell. It says "pending labels  
11 November 17, registered November 27," so the labels either came  
12 in November 17 or November 23rd, so the label review took  
13 either four days or ten--is that right?--compared to 47 days to  
14 review the label for Gaucho CS FL.

15 A. That's right.

16 Q. Okay. Thank you, Ms. Chalifour.

17 MR. BEDARD: Thank you, Madam President.

18 PRESIDENT KAUFMANN-KOHLER: Any redirect questions?

19 MS. ELLIOTT-MAGWOOD: Yes, I do have a few. Thank  
20 you.

21 REDIRECT EXAMINATION

22 BY MS. ELLIOTT-MAGWOOD:

23 Q. Ms. Chalifour, when you were speaking about the Gaucho  
24 CS FL application and discussing with Mr. Bedard the various  
25 stages of discussion about various elements, is it--is it

17:33 1 common for--for a submission to have that much back and forth  
2 with an Applicant where some things have been requested and  
3 needs to be clarified?

4 A. It's not common for a Cag. B submission to go through  
5 two Level B, three Level B and two Level C and for there to be  
6 that much discussion, no.

7 Q. Okay. And could you just explain a bit. You talk  
8 about the multiple Levels B, the multiple Levels C's, exactly  
9 what this does to the time line as compared to kind of the  
10 perfect performance standard submission policy time line?

11 A. The perfect performance standard assumes that the  
12 submission will make one pass through Level B and one pass  
13 through Level C, and one pass through Level D, et cetera. And  
14 this submission had to make several passes through the  
15 screening for screening review and through preliminary review,  
16 and each time it goes through a screening review, the PMRA has  
17 45 days to complete our screening review, and the Applicant has  
18 45 days to address the concerns that have been identified.

19 So, it adds time, every time it goes through a B loop,  
20 as we call them or a C loop, and Level C, for example, it adds  
21 90 days for the Applicant to address the deficiencies, and 60  
22 days for the PMRA to review the response.

23 Q. Okay. And Helix was, we have discussed, conducted as  
24 a workshare.

25 A. That's right.

17:35 1 Q. And how does that bear on the time lines that PMRA was  
2 working under for Helix?

3 A. Because it was a Joint Review workshare, those were  
4 negotiated time lines, and the PMRA agreed with the EPA for  
5 NAFTA priority reviews to a negotiated time line of 18 to 24  
6 months, so the MOSP does not actually apply to a submission  
7 that is a Joint Review or a workshare.

8 Q. Okay. Turning to temporary registration, you were  
9 discussing the fact that Helix was a temporary registration and  
10 remained as one for some time. Was that common for submissions  
11 to be in that pattern at that time period?

12 A. Yeah, it was fairly common for the PMRA to grant  
13 temporary registration, and it was also quite common to renew  
14 temporary registration on review on an annual basis, and  
15 sometimes for several years in a row.

16 In fact, when the new act came into force in 2006,  
17 there were 200, about 200 outstanding, I think I referred to  
18 that in my Affidavit, 200 outstanding temporary registrations  
19 that were converted to conditional registrations.

20 Q. Okay. And you were speaking about the seed coloration  
21 directive, and you didn't actually get with Mr. Bedard into the  
22 context of this discussion within your Affidavit. So, could  
23 you just explain to us--I understand this was an issue of a  
24 green Helix product contrary to the Directive's indication of  
25 baby blue as the color for canola seed treatment. How did this

17:38 1 issue arise?

2 A. Okay. In 2002, that Directive had been in effect  
3 since about 1986, when it originally came out as a trade  
4 memorandum.

5 But in 2002, there were two Helix registrations, two  
6 products, one with twice the amount of insecticide active  
7 ingredient. Canola seed is generally treated in commercial  
8 seed plants, and after consulting with a number of  
9 stakeholders, including the Canola Council of Canada,  
10 Gustafson, and Bayer, the PMRA was looking for a solution to  
11 the potential for these--for seeds treated with either of these  
12 two products to be differentiated visually, and in good faith  
13 we thought a different seed color for one of the Helix products  
14 would address that concern, and we consulted before we actually  
15 went about agreeing to this deviation, I guess, from the  
16 Directive. We consulted with a number of stakeholders, and  
17 none of them at the time thought that it would be a problem.

18 Q. Okay. And you were looking with Mr. Bedard at the  
19 label review for Gaucho CS FL, and he'd mentioned that it was  
20 47 days. I'm just wondering what is the time line in the  
21 management of submission policies for label reviews?

22 A. Forty-five. I think it's 45 days.

23 Q. I believe you're right.

24 A. But I will look it up.

25 Q. Okay.

17:40 1 A. For Cag. A submission, okay.

2 I believe it's 45 days. I believe it's 45 days here.

3 Q. I believe it's in the chart at the back of your  
4 Exhibit SC-1.

5 A. Yes, it is 45. I'm actually looking at Page 6 of my  
6 Affidavit, 45 days for the review of the label.

7 Q. Okay. Thank you.

8 And just one more question. You explained that when  
9 Helix was resubmitted, you didn't review--re-review all of the  
10 data. You only considered the new data. And what is the  
11 policy rationale behind doing that?

12 A. We had completed our Risk Assessment in all the other  
13 aspects of the Helix submission, and there was no need to  
14 repeat a review that had already been completed. No need to  
15 re-review data. A decision was made at the end of the initial  
16 submission that the Risk Assessment with respect to the  
17 occupational exposure was not adequate.

18 Q. Okay. Thank you. Those are my questions.

19 MS. ELLIOTT-MAGWOOD: Thank you.

20 PRESIDENT KAUFMANN-KOHLER: Judge Brower, any  
21 questions? Please.

22 QUESTIONS FROM THE TRIBUNAL

23 ARBITRATOR BROWER: You remind me precisely what was  
24 the difference between the first Helix application and the  
25 second Helix application. What was the difference between what

17:42 1 was rejected in the first one and what was submitted in the  
2 second one?

3 THE WITNESS: The first Helix submission came with a  
4 full data package, all aspects of the product, environmental  
5 chemistry value, food residue data. That data, those data were  
6 reviewed in the initial submission, and a Risk Assessment  
7 conducted on all aspects of the product and the product's use  
8 in Canada. The Risk Assessment determined that the  
9 occupational exposure was unacceptable based on the surrogate  
10 exposure study that was submitted. It was reviewed and  
11 determined that it was unacceptable. So, the submission was  
12 rejected.

13 The Applicant Syngenta came back in, or Novartis at  
14 the time came back in and addressed that concern with a refined  
15 Exposure Assessment conducted with the actual active ingredient  
16 rather than a surrogate product.

17 Does that answer your question?

18 ARBITRATOR BROWER: So, the ingredients were different  
19 in the two applications?

20 THE WITNESS: The surrogate study was conducted with a  
21 different active ingredient than was being proposed.

22 ARBITRATOR BROWER: But the application was in both  
23 cases included identical ingredients?

24 THE WITNESS: Yes, yes. It was only the surrogate  
25 study for occupational exposure, which was conducted with a

17:43 1 different active ingredient, and Syngenta would have submitted  
2 that to address the need to--the need for an Exposure  
3 Assessment for the thiamethoxim product, the Helix product, and  
4 with a rationale saying generally in seed treatment products  
5 this is the exposure from a use of a seed treatment product.  
6 And because it was not conducted with thiamethoxim, I presume a  
7 number of safety factors would have been applied to that Risk  
8 Assessment, and it was not--a positive registration decision  
9 could not be made based on that surrogate study.

10 Is that clear?

11 So, both submissions were for Helix. That particular  
12 data was conducted with a different active ingredient.

13 ARBITRATOR BROWER: And when CS FL was approved by  
14 PMRA, that was also a temporary approval?

15 THE WITNESS: Yes, it was.

16 ARBITRATOR BROWER: Okay. Thank you.

17 PRESIDENT KAUFMANN-KOHLER: Professor Crawford.

18 ARBITRATOR CRAWFORD: Looking at the I think it's the  
19 annex to your second Witness Statement you have got the time  
20 line for CS FL registration history.

21 And the outstanding factor which took so long is the  
22 fact that it was in the queue for level D from May the 1st,  
23 2001 to April 25th, 2002. I'm making that statement, but if  
24 you disagree with it, of course, you're free to do so.

25 So my question is as follows... is that accurate? Is

17:46 1 that the reason because it's nearly a year?

2 Secondly, is it unusual?

3 THE WITNESS: First of all, the overall submission  
4 status was D in queue. That, however, doesn't mean, that one  
5 of the evaluators--one or more of the evaluators on the review  
6 team had not started their review. That means that at least  
7 one had not until that point.

8 ARBITRATOR CRAWFORD: Okay. Well, let me rephrase the  
9 question.

10 THE WITNESS: Okay.

11 ARBITRATOR CRAWFORD: The situation is that for  
12 purposes of Level D one, it entered the queue on the 1st of  
13 May, and it left--it had passed Level D one slightly more than  
14 a year later, in May 2002.

15 THE WITNESS: Yes.

16 ARBITRATOR CRAWFORD: Is that unusual?

17 THE WITNESS: Well, the time lines for review of a  
18 Cag. B submission for a new formulation would be 12 months for  
19 the review period, and this review was completed in slightly  
20 more than that time, and in only slightly more. It was 300 and  
21 some days rather than 365 days. So, the PMRA did nearly meet  
22 our time lines for that review.

23 ARBITRATOR CRAWFORD: Mr. Bedard didn't put this to  
24 you, but I'm going to.

25 THE WITNESS: Okay.

17:47 1           ARBITRATOR CRAWFORD: He was very meticulous in going  
2 through the detail, but there is a big picture question.

3           As I understand it, Chemtura's case is that in  
4 relation to a Category B submission, it really wasn't very  
5 difficult because it related to existing ingredients for which  
6 there was considerable experience for those particular crops  
7 sought. It took an unconscionable period of time to register  
8 the product. That's my understanding. That's their case. I  
9 would like you to comment on that.

10           THE WITNESS: Well, first of all, I don't agree that  
11 it wasn't--that the deficiencies were not significant and that  
12 the process was not necessarily complicated. There was a lot  
13 of back and forth with the Applicant, and we did have a--if you  
14 look at the data requirements for this type of new product, we  
15 did have a fair amount of data to review.

16           ARBITRATOR CRAWFORD: Judging from what was said by  
17 witnesses for Chemtura, by this stage the PMRA was really  
18 unhappy with Chemtura because of the difficulties that had  
19 occurred in relation to the voluntary waiver agreement, et  
20 cetera. And basically you went slow on this. You dragged your  
21 feet. Is that an accurate statement?

22           THE WITNESS: I don't think so. I think evaluators  
23 were working through the submission along with, I might add, a  
24 lot of other submissions at the same time. Typically,  
25 evaluators don't have a single submission to do, to evaluate,

17:49 1 and we worked through that, and there was just a lot of back  
2 and forth as evidenced by the Level B--numerous Level B levels  
3 and Level C levels with the Applicant to get a reviewable  
4 submission.

5 ARBITRATOR CRAWFORD: Chemtura sought accelerated  
6 status for CS FL. Mr. Bedard took you to that correspondence,  
7 and that request was denied, and we understand why, and I don't  
8 want to go into the merits of whether it was denied--of its  
9 denial or not. But let's assume that it had been granted and  
10 that you treated CS FL the way you treated Helix. What  
11 difference would that have made to the speed with which CS FL  
12 was finally approved?

13 THE WITNESS: Well, first of all, we didn't actually  
14 get the data we needed until May 2001, and there was a lot of  
15 back and forth. What difference--what was your question again?

16 ARBITRATOR CRAWFORD: My difference is if accelerated  
17 status had been given, all other things being equal, and the  
18 time taken to produce new data being the same, if you'd  
19 accepted Chemtura's request that it be treated equally with  
20 Helix, CS FL be treated equally with Helix, what difference  
21 would that have made to the eventual outcome in terms of the  
22 date of the CS FL registration?

23 THE WITNESS: If we had given it an expedited review?

24 ARBITRATOR CRAWFORD: Yes.

25 THE WITNESS: If we had given it an expedited review,

17:51 1 I guess evaluators would have gotten to that submission before  
2 they did.

3 ARBITRATOR CRAWFORD: But you can't tell us even a  
4 ballpark figure that it might have accelerated the process by  
5 six months or nine months or whatever?

6 THE WITNESS: No, I can't.

7 PRESIDENT KAUFMANN-KOHLER: Can I follow up on this a  
8 bit. If you look at your Appendix A to your second Witness  
9 Statement, and you look at the page where we have Level D,  
10 Level D lasts from May 1st, 2001, to May 15, 2002, and you have  
11 explained to us that it's one evaluator who may have been late  
12 and the others were working--whatever. That is speculation.

13 THE WITNESS: Duration.

14 PRESIDENT KAUFMANN-KOHLER: So, that is about 380  
15 days, and that's approximately.

16 Now, if I look at your description of Level D in  
17 Paragraph 17 of your Witness Statement one, it's on Page 6.

18 THE WITNESS: Yes.

19 PRESIDENT KAUFMANN-KOHLER: I understand that Level D  
20 should take 180 days for the review, for the review to be  
21 completed; is that right? I mean, for Level D to be completed.  
22 Or is that wrong?

23 THE WITNESS: Well, actually, if you look at the  
24 management of submission policy, which I think is Attachment 1.

25 PRESIDENT KAUFMANN-KOHLER: Yes.

17:53 1 THE WITNESS: The review time line that the PMRA has  
2 set for itself for Category B submissions, the review is 365  
3 days for a standard Cag. B.

4 PRESIDENT KAUFMANN-KOHLER: Yes, but I would like to  
5 have a standard Cag. B Level D.

6 THE WITNESS: That would be the review--the review  
7 would be--the review is that--is that period.

8 PRESIDENT KAUFMANN-KOHLER: So, where it says second  
9 review, is that Level D, or where do I find it? Now in  
10 Appendix 1 to the OSP, Category B, where do I find the standard  
11 days for Level D?

12 THE WITNESS: So, SC-1, the pages aren't numbered, but  
13 Page 6, Appendix 1, Category A, and presume it's Page 7,  
14 Category B.

15 PRESIDENT KAUFMANN-KOHLER: That's what I'm looking  
16 at. Now, which column is Level D?

17 THE WITNESS: The review is actually Level C and Level  
18 D combined, and we have allocated 365 days for us to complete  
19 that for a Cag. B submission.

20 PRESIDENT KAUFMANN-KOHLER: Fine. So, now back to my  
21 question: If you took for Level D 380 days, how many days too  
22 much compared to your standard? I had come to 200, but maybe  
23 I'm wrong.

24 THE WITNESS: Yes, we did. We didn't meet our time  
25 line for that submission.

17:55 1           PRESIDENT KAUFMANN-KOHLER: That is clear, but how  
2 much did you exceed it? That's what I'm trying to understand.  
3 If you exceeded it by 10 days, then that's certainly no issue.  
4 If you used double the time that you should have, then maybe  
5 there is an issue.

6           THE WITNESS: Right.

7           PRESIDENT KAUFMANN-KOHLER: Maybe not, I don't know.  
8 But I just tried to get to the facts.

9           THE WITNESS: Let me try to clarify that for you.  
10 This 365 days is for both the Level C, which is usually 60  
11 days, and the Level D review, 305 days.

12           However, in this case, the submission had several  
13 loops added to it because it went on hold.

14           PRESIDENT KAUFMANN-KOHLER: I would like to come back  
15 to the loops afterwards, or do I have to address the loops now?

16           THE WITNESS: Well, if I could just finish, 365 days  
17 for the--both portions of the review, the preliminary review  
18 and the full review for an ideal Cag. B submission. But in  
19 this case, an extra 60 days was added for the second Level C  
20 review--

21           PRESIDENT KAUFMANN-KOHLER: Can I just stop you here  
22 because I would like to be clear before we go to the loops.

23           THE WITNESS: All right.

24           PRESIDENT KAUFMANN-KOHLER: Do I understand it  
25 correctly that in a standard Category B, I have 365 days for C

17:57 1 and D levels.

2 THE WITNESS: Yes, that's right.

3 PRESIDENT KAUFMANN-KOHLER: And that the C level is 60  
4 days, so the D level is 305?

5 THE WITNESS: That's right.

6 PRESIDENT KAUFMANN-KOHLER: Fine.

7 Now--and then I can calculate how many excess days we  
8 have in this case.

9 Now, can you explain to me these--this loop story  
10 because why can't you finish one level and once this is done  
11 you go to the next one, and you simply don't move from one to  
12 the other before one is completed. Why do you go back? Why do  
13 you have three times B and two times C in Gaucho CS FL?

14 THE WITNESS: Okay. For the Level B screening--so,  
15 for the Level B, which is the screening review and not the full  
16 review, the screening review, screeners are looking at the  
17 submission to make sure that all the elements are addressed--

18 PRESIDENT KAUFMANN-KOHLER: That's clear, yes.

19 THE WITNESS: Okay. That takes 45 days for the PMRA  
20 to complete. If there are deficiencies, a letter is written to  
21 the Applicant, and they have 45 days to address the  
22 deficiencies. You didn't pay your fees, you didn't provide  
23 this piece of data, you didn't provide--

24 PRESIDENT KAUFMANN-KOHLER: And when they answer--

25 THE WITNESS: And when it comes back in--

17:58 1 PRESIDENT KAUFMANN-KOHLER: Restart 45 days?

2 THE WITNESS: Yes, because the screeners have to  
3 determine that here is the letter of deficiency, here is the  
4 Applicant's response, did they address all our deficiencies?  
5 We allow ourselves 45 days or a second loop through that  
6 process to screen the response.

7 PRESIDENT KAUFMANN-KOHLER: That's what you called the  
8 second B level, which is still the same but you had had a  
9 deficiency in between?

10 THE WITNESS: Yes, yes, that's right.

11 PRESIDENT KAUFMANN-KOHLER: Okay, fine.

12 So, then you wanted to explain why in this case you  
13 get to about 380 days.

14 THE WITNESS: Okay. The same thing happens when a  
15 submission has a Level C deficiency.

16 PRESIDENT KAUFMANN-KOHLER: That's clear.

17 THE WITNESS: PMRA initially has 60 days, the  
18 Applicant has 90 days, it comes back in, we take another 60  
19 days.

20 So, that is added to the time it would take.

21 Ideally, it doesn't go through that second loop, and  
22 it completes its review in 365 days. But because we had those  
23 additional deficiency Level C reviews, we added more time.

24 PRESIDENT KAUFMANN-KOHLER: And you finished early.  
25 Now, if I add all the extra days that you're telling me now

18:00 1 because of additional B and C levels, we would have to do the  
2 calculation, but would we get--would we not get more than 380?

3 THE WITNESS: Yes, we would. We would get something  
4 if we add for all the extra times for the B and C reviews, we  
5 get a total of about 712 days.

6 And I think the PMRA, I did the calculation, but I  
7 didn't submit it here as an exhibit. I think the PMRA--

8 PRESIDENT KAUFMANN-KOHLER: That's probably in the  
9 record somewhere.

10 THE WITNESS: I think we took 750 days. So, we were  
11 late in addition, but we should have--we should have allowed  
12 ourselves about 712 days for this process and all the  
13 additional reviews that were required as a result of the  
14 deficiencies.

15 Sorry, it's a complex process.

16 PRESIDENT KAUFMANN-KOHLER: It's just a matter of  
17 understanding it.

18 I think I have covered my questions.

19 Yes, please.

20 ARBITRATOR CRAWFORD: When you get a--you made the  
21 point about the surrogate exposure study. I quite understand  
22 that with a new nonactive ingredient you might well think that  
23 a surrogate exposure study is not good enough, and you want an  
24 exposure study done with the active ingredient itself. That  
25 study comes in. You have got all the expertise in house to

18:02 1 review that. Have you, or do you send it out for blind review  
2 as you would with academic journal?

3 THE WITNESS: As we would do for what?

4 ARBITRATOR CRAWFORD: An academic journal, if you got  
5 a study of that sort for publication, you would send it out for  
6 blind review. Do you do that, or did you do it entirely in  
7 house?

8 THE WITNESS: No, actually, we would do the review at  
9 the PMRA. We would review what we have expertise to do that  
10 review, and there is a process--there is a review and an  
11 internal peer review, and in the case of the thiamethoxim  
12 exposure study, we were working with the U.S. EPA, and they  
13 also conducted a review, and the evaluators had a discussion as  
14 to whether or not that study was adequate.

15 ARBITRATOR CRAWFORD: Thank you very much.

16 PRESIDENT KAUFMANN-KOHLER: Maybe I should simply  
17 rectify before I spoke of 380 days, as if it was the overall  
18 duration. Of course, it's only the duration for the Level D  
19 process, where you don't have the loops of B and C, just that  
20 there is no misunderstanding on that.

21 THE WITNESS: Yes, thank you.

22 ARBITRATOR BROWER: We have been told that Helix was  
23 subject to the Joint Review procedure. That applies to both  
24 submissions, the one that was rejected, and the one that  
25 eventually was accepted.

18:03 1 THE WITNESS: Yes, that was all part of the same  
2 workshare project.

3 ARBITRATOR BROWER: And in your view, did the fact  
4 that this was the very first Joint Review exercise, as I  
5 recall; is that correct?

6 THE WITNESS: No, I don't think it was. No, it  
7 wasn't. Since 19--

8 ARBITRATOR BROWER: Oh, I'm sorry. Okay.

9 THE WITNESS: We have had about 35 Joint Reviews and  
10 about 10 workshares since about 1995, and I'm pretty sure that  
11 Helix was not the first one. It was early on in the process,  
12 but not the first one.

13 ARBITRATOR BROWER: All right. Thank you.

14 PRESIDENT KAUFMANN-KOHLER: Mr. Bedard?

15 MR. BEDARD: Madam President, I just have one  
16 clarification for the Tribunal's benefit, and it's on the  
17 record that the Gaucho CS FL application took 848 days. It's a  
18 matter of record.

19 PRESIDENT KAUFMANN-KOHLER: That's why I just checked  
20 on and that's why I made a rectification so that I'm not  
21 misunderstood. I misspoke there. That was in Mr. Kibbee's  
22 evidence in particular.

23 THE WITNESS: Can I add that the 800 and whatever days  
24 included Level B, Level A. It was the overall review time.

25 PRESIDENT KAUFMANN-KOHLER: Yes, it was 848, and it's

18:05 1 for the overall duration, is how I understand it.

2 Fine, if there are no further questions, this  
3 completes your examination. Thank you very much.

4 THE WITNESS: Thank you very much.

5 PRESIDENT KAUFMANN-KOHLER: You had scheduled to start  
6 with Dr. Franklin today, and to continue on Monday. What do  
7 you wish to do? It's a question to both, really.

8 MR. DOUAIRE de BONDY: Thank you, Madam Chair. I  
9 guess it depends on the length of cross-examination. We intend  
10 to do only a very brief direct, as per the procedure now, and  
11 it's five after 6:00, so...

12 PRESIDENT KAUFMANN-KOHLER: Can I ask the Claimant.  
13 You already packing.

14 MR. SOMERS: No, no, I was in fact unpacking. I was  
15 looking for the schedule to know how much time I had on Monday  
16 morning with Dr. Franklin because I might be able to shoehorn  
17 the whole thing into Monday morning. I don't have a copy of  
18 the schedule on me.

19 ARBITRATOR BROWER: You're on Dr. Costa.

20 PRESIDENT KAUFMANN-KOHLER: On Monday we have the  
21 continuation and end of Franklin until the break. Then  
22 Dr. Costa, and then Aidala and Goldman in the afternoon.

23 MR. DOUAIRE de BONDY: I suspect that may work on  
24 Monday morning, given that according to the Claimant they're  
25 expecting to cross-examine Dr. Franklin for two hours, and

18:07 1 Dr. Costa for one hour, and in both cases we weren't expecting  
2 to do more than a brief direct, but again we are in your hands.

3 PRESIDENT KAUFMANN-KOHLER: It may mean that maybe we  
4 cannot hear Dr. Goldman start on Monday. That may be the  
5 consequence. I don't think that's a problem. If you  
6 don't--depending on how long you want to examine the quantum  
7 Experts, but on the quantum Experts you have I think  
8 relatively--you have provided for sufficient time, I would say.

9 MR. SOMERS: On our behalf, I can even say generous  
10 time.

11 PRESIDENT KAUFMANN-KOHLER: That's what would seem to  
12 me, yes, but is this a shared assessment?

13 MR. DOUAIRE de BONDY: Yes, that's fine.

14 And I think if I may say so, may be kinder to the  
15 witnesses to just start the examination first thing Monday  
16 morning, start it tonight and leave her in limbo, or in Purdah.

17 PRESIDENT KAUFMANN-KOHLER: It's preferable not to cut  
18 examinations in the middle in any event.

19 Fine, so let's start with Dr. Franklin on Monday, and  
20 have Dr. Goldman available. She's your witness, in case we can  
21 start with her testimony on Monday late afternoon.

22 MR. DOUAIRE de BONDY: Fine. That's fine, thank you.

23 PRESIDENT KAUFMANN-KOHLER: Good. Anything else you  
24 wish to raise at this stage? Mr. Somers.

25 MR. SOMERS: One brief matter. There was a table that

18:08 1 was alluded to in Exhibit LC-22 of my friends, and I brought up  
2 a request for it, or requested it be looked for, you may  
3 recall, and I was inquiring about the status.

4 MR. DOUAIRE de BONDY: The search is ongoing.

5 ARBITRATOR CRAWFORD: Since we are talking about  
6 tables, advanced notice, you probably expected this, it would  
7 be very useful, I should think, if we could be provided with  
8 time lines for the various applications in the same form  
9 because they're in different form, but if an application that  
10 is not clear always which application or which product is  
11 subject of which time line, so at some point, if it can be  
12 agreed between the Parties, well and good. If we could have a  
13 consolidated table showing the time lines for the various  
14 products, that would be helpful.

15 PRESIDENT KAUFMANN-KOHLER: Would basically mean for  
16 the two Gauchos, for Gaucho CS FL, for Helix, for Helix Xtra,  
17 and it would help us also to have the situation in the U.S. but  
18 without all the details. I don't think that is what we need.  
19 I mean, here in the evidence of Ms. Chalifour, we have a lot of  
20 details. We don't need this. We need to know when it was  
21 filed and rejected, withdrawn, registered, basically.

22 MR. DOUAIRE de BONDY: We certainly would be able to  
23 generate that, yes.

24 PRESIDENT KAUFMANN-KOHLER: It may be somewhere in the  
25 record and we have missed it, but we have thought it could help

18:10 1 us, so we have a clear picture.

2 MR. DOUAIRE de BONDY: Right. I don't think that the  
3 kind of consolidated table that you're referring to is in the  
4 record, and we would certainly be willing to generate that.

5 MR. SOMERS: Rather than competing versions, would it  
6 be possible for counsel to prepare drafts, exchange, and then  
7 agree for submission?

8 PRESIDENT KAUFMANN-KOHLER: That would be perfect.  
9 Absolutely.

10 If there is nothing else, then I wish you a good  
11 Sunday, another relaxing one, but nevertheless a good one, and  
12 we will see each other on Monday morning.

13 MR. DOUAIRE de BONDY: Sorry, may I ask a  
14 clarification. One thing I missed was about the American  
15 aspect of this table, perhaps I missed this part of the early  
16 part of Ms. Chalifour's testimony, but--

17 PRESIDENT KAUFMANN-KOHLER: It may be helpful to know  
18 which product was registered in the U.S. when.

19 MR. DOUAIRE de BONDY: I see.

20 PRESIDENT KAUFMANN-KOHLER: Fine. Thank you, then.  
21 Good evening.

22 (Whereupon, at 6:11 p.m., the hearing was adjourned  
23 until 9:00 a.m. the following day.)

24

25

## CERTIFICATE OF REPORTER

I, David A. Kasdan, RDR-CRR, Court Reporter, do hereby certify that the foregoing proceedings were stenographically recorded by me and thereafter reduced to typewritten form by computer-assisted transcription under my direction and supervision; and that the foregoing transcript is a true and accurate record of the proceedings.

I further certify that I am neither counsel for, related to, nor employed by any of the parties to this action in this proceeding, nor financially or otherwise interested in the outcome of this litigation.

---

DAVID A. KASDAN